

ML

Rena Valley v.

7-6-77

Twp of Clinton

Stenographic transcript of
trial proceedings (Robert Hurdon)

Ass. 77

MLCCW 4358

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

SUPERIOR COURT OF NEW JERSEY
LAW DIVISION: HUNTERDOH COUNTY
DOCKET NO. L-29710-74 P.W.

A-2963-77

ROUND VALLEY, INC., a
corporation of the State
of Hew Jersey,
Plaintiff,

:
: Stenographic Transcript of
: Trial Proceedings

vs.

TOWNSHIP OF CLINTON, a
Municipal Corporation of the
State of New Jersey, TOWN-
SHIP COUNCIL OF CLINTON, and
PLANNING BOARD OF CLINTON,

: Place:
: Hunterdon County Courthouse
: Flemington, New Jersey

: Date:
: July 6, 1977

Defendants.

BEFORE: THE HONORABLE THOMAS J. BEETEL, J.C.C.

TRANSCRIPT ORDERED BY:
ROGER CAIN, ESQ.

FILED
SUPREME COURT
FIRST COPY OF
APR 24 1980
Stephen W. Starnes
APR 20 1978
CLERK'S OFFICE

A P P E A R A N C E S :

STERNS, HERBERT & WEINROTH, ESC.
BY: MICHAEL J. HERBERT, ESQ. and JOEL H. STERNS, ESQ.
Attorneys for the Plaintiff

ROGER CAIN, ESQ.
Attorney for Defendants Township of Clinton and
Township Council

OPINION FILED

FRANCIS P. SUTTON, ESQ.
Attorney for Defendant Planning Board

MAR 5 1980

Charles R. Senders, C.S.R.
Official Court Reporter
Somerset County Courthouse
Somerville, New Jersey

PENGLO CO., BAYONNE, NJ 07002 FORM 2046

I N D E X

<u>WITNESS</u>	<u>DIRECT</u>	<u>CROSS</u>	<u>VOIR DIRE</u>
Robert H. Eordon by Mr. Sterns	4		
by Mr. Cain		69	10
by Mr. Sutton			15

E X H I B I T S

<u>NO.</u>	<u>DESCRIPTION</u>	<u>IDEH.</u>	<u>EVID.</u>
P-94	Mr. Akahasi's report previously marked for identification		3
P-95	Radius map, previously marked for identification		3
P-96	1976 family budget and comparative index of U. S. Department of Labor, previously marked for identification		3,54
P-97	1973,1975 population estimates of U. S. Bureau of Census, previously marked for identification		3,54
P-98	U. S. Bureau of Census report on new one-family houses sold, previously marked for identification		3,54
P-99	Preliminary draft N. J. DCA re State-wide housing allocation plan, previously marked for identification		3,54
P-100	Document entitled Vite by Dr. Eordon	5	8,54
P-101 A to L	List of publications	7	8,54
P-101-M	Publication	19	54
P-102	Study entitled Environmental Assessment of the Water Related Impacts of the Beaver Brook FUD	19	54

E X H I B I T S (continued)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

<u>NO.</u>	<u>DESCRIPTION</u>	<u>IDEN.</u>	<u>EVID.</u>
P-103	Study entitled Addendum Ho. 1, Water Supply for Beaver Brook PUD	20	54
P-104 thru P-108	Five maps	22	54
P-108	Water resource survey	57	
P-109	Report by South Branch Water Association	62	

CITATIONS:

<u>Boca Raton</u> , September, 1976	-----	page 63
<u>Suburban Action Institute</u>	-----	" 16

PENGAD CO., BAYON J. 07002 FORM 2046

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

THE COURT: All right, gentlemen.

MR. STERNS: Yes, Your Honor. The next witness will be Dr. Robert Hordon.

May I ask, at this time, Your Honor, if there is any objection to the items that were marked for identification during Mr. Akahasi's testimony being moved into evidence? That would be P-94, which was his report which I think we have agreed to; P-95, which is simply a radius map for reference, it has no probative value; P-96, the autumn, 1976, family budget and comparative index selected for urban areas of the U. S. Department of Labor; and P-97, the 1973 and '75 population estimates of the U. S. Bureau of the Census; P-98, the new one-family houses sold, U. S. Bureau of Census; and P-99, the State-wide housing allocation plan, for New Jersey, preliminary draft, New Jersey Department of Community Affairs. The plan was, of course, strictly illustrative, so he can point things out. The point is, it is already referred to in the record extensively.

MR. CAIN: I believe that Mr. Akahasi said it wasn't prepared by any particular person, for no particular purpose. So if it is just to show what is in white and yellow, what is in fifty miles

PENGAD CO., BAYONNE, N.J. 07002 FORM 2045

1 of Times Square or Columbus Circle, we have been
2 over those and we have no objection.

3 (Whereupon, Exhibits P-94 through P-99,
4 previously marked for identification, marked into
5 evidence.)

6 **THE COURT:** Swear the witness, please.

7 **MR. SUTTON:** I believe that there is a Blau,
8 Lasser exhibit.

9 **MR. STERNS:** The Blau, Lasser page is a page
10 that was part of his report. I am willing to hold
11 that until we can either get some go-ahead to sub-
12 stantiate it or drop it.

13 **THE COURT:** The whole report is admissible
14 except Appendix E.

15 **ROBERT M. H O R D O N, sworn.**

16 **MR. LEONE:** State your full name and spell
17 your last name.

18 **THE WITNESS:** Robert M. Hordon, H-o-r-d-o-n.

19 **MR. LEONE:** Place of residence?

20 **THE WITNESS:** Kendall Park, New Jersey.

21 **MR, STERNS:** Your Honor, with the consent
22 of counsel, we have marked a number of exhibits to
23 save time, and they all go at this point to the
24 credentials. I will just ask the witness to identify
25 them.

1 DIRECT EXAMINATION BY MR. STERNS:

2 Q Dr. Hordon, what is your occupation and
3 profession?

4 A I am Associate
5 Professor in the Geography Department of Rutgers Univer-
6 sity, New Brunswick.

7 Q Do you have any special expertise?

8 A I teach courses in physical geography and fluvial
9 geomorphology, f-1-u-v-i-a-l g-e-o-m-o-r-p-h-o-l-o-g-y.

10 Q Will you describe what that means?

11 A Fluvial geomorphology refers to land forms and
12 other erosional and dispositional features that are re-
13 lated to streams, rivers and creeks. It is a water-related
14 and land-form-related subject.

15 I also teach and do research in urban water resources
16 management. I also have courses in environmental planning
17 and land use systems.

18 Q How long have you been at Rutgers?

19 A I have been at Rutgers ten years.

20 Q Have you had teaching experience, or academic
21 experience at other institutions of higher learning?

22 A I was a teaching assistant in my graduate program
23 at Columbia for about two and a half years, and then came
24 to Rutgers in 1967.

25 Q Let me interrupt you for a minute at this
point, and ask if you can identify this document, some six

1 pages, which says Robert H, Hordon?

2 I (Document entitled "Vite¹¹ marked as
3 Exhibit P-100 for identification.)

4 A Yes.

5 Q What is that? A This is my Vite
6 form, a short form, and a long form with a list of publica-
7 tions and professional affiliations.

8 Q Is this included as part of your report
9 which you have submitted in this matter?

10 A Yes, that was included as a part of P-31 at the
11 time of my deposition, it was attached to the rear of
12 P-31.

13 MR, STERNS: This has been marked as
14 P-100 and I think counsel have a copy of this
15 study.

16 THE COURT: All right.

17 Q Now, Mr. Hordon, going on, can we run over
18 briefly your basic academic background, that is, what
19 colleges you graduated from graduate degrees?

20 THE COURT: Isn't that already given, it
21 is already in this report, it is part of the record?

22 MR. STERNS: If that is satisfactory to you.

23 THE COURT: Any objections to those qualifi-
24 cations, without repeating all of them?

25 (No response.)

i THE COURT: No objection, move along.

2 Q Dr. Hordon, have you written and published
3 articles in the areas in which we are concerned in this
4 matter, namely, water supply and specifically water supply
5 in New Jersey? A Yes, I have.

6 The articles, publications and papers are listed on the
7 Vite. There have been a few additional ones since that
8 time.

9 Q Approximately how many articles have you
10 written? A The number of
11 publications has been, that is both solo and joint
12 authored, twenty three.

13 There was another one, number twenty four, which
14 was a report to the Department of Community Affairs, that
15 was released September, 1976 called "A Guide to the Environ-
16 mental Aspects of the Local Planning Process." I was
17 senior editor of that publication.

18 Q Of those twenty three or twenty four public-
19 cations, do any of them bear on the questions of water
20 supply and quality such as you addressed in your report?

21 A Yes. J

22 Q Approximately how many?

23 A At least half or more. Oh, I would say two thirds
24 would bear, almost two thirds would bear on water-related
25 issues.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q How about the issue of whether they are
• water-related issues in the New Jersey area?

A Again, two thirds. The focus of research has
been on the New York-New Jersey metropolitan area, with
heavy emphasis on north and central New Jersey.

(List of publications marked as Exhibits
P-101, A through L for identification.)

Q Now, I would ask you if you would review
briefly what has been marked P-101, A through L, without
getting in particular, if you could identify these by
topic and if you can answer for all of them, whether they
are articles or publications which you have authored?

A P-101-A, which was a conference of the American
Water Resources Association, involved research into the
responsive northeastern New Jersey water transfer network
to the draught of the mid-sixties. In particular, the
'62 through '66 period.

Q Let me ask you this, Dr. Hordon, I would be
particularly interested in going through all of that in
order to save time. You can all glance through them and
they are all listed and what we marked P-101. Is that
correct?

A Yes.

Q Could you just verify that these are indeed
the articles referred to, that you have written them as a
group?

A Surely.

1 **MR. STEKNS:** I assume, Your Honor and
2 counsel, that the Vite is included in total in the
3 record at this point, so that I don't have to
4 examine it in detail?

5 **THE COURT:** Any objections, he identified
6 these as outlined in the Vite?

7 **MR. CAIN:** The same one that was P-31 for
8 identification at the deposition?

9 **THE COURT:** The same situation, yes,

10 **MR. CAIN:** We have already seen that.

11 **THE COURT:** So marked.

12 **MR. STERNS:** If I can assume t+a t it is
13 in the depositions, you can assume that we have
14 testified for everything in the Vite purposes for
15 the examination, for expertise, if that is
16 acceptable?

17 **THE COURT:** It is acceptable to me, how
18 about Mr. Sutton?

19 **MR. SUTTON:** No objection.

20 **THE COURT:** Any objection to P-100 and 101
21 in evidence?

22 (No response.)

23 **THE COURT:** So marked,

24 (Whereupon, documents previously marked as
25 E:diibits 100 and 101, A through L for identification,

1 marked into evidence.)

2 Q Have you had an opportunity to look through
3 all of those articles offered by you?

4 A Yes.

5 Q Included in the Vite referred to?

6 A Included in the Vite in P-31.

7 Q Just one other question, Doctor. Then at
8 this point, that is, are you presently engaged in any
9 research with reference to water problems in New Jersey,
10 either water quality or supply? A I am engaged
11 in four research projects of a part-time nature, particu-
12 larly during the summer.

13 One of them is funded by the U. S. Department of
14 Agriculture through Rutgers University, involving the
15 development of a growth management plan for a community
16 in Somerset County. I am a consultant on the water and
17 sewerage facilities for this, which is a disciplinary
18 project.

19 The second project is one that is involving the
20 environmental impact analysis of the Manasquan Reservoir
21 project in ibnmouth County, funded by the Department of
22 Environmental Protection through Rutgers University. I am
23 the water resources consultant for that one.

24 The third project is a project sponsored by the
25 Engineering Foundation of the American Society of Civil

1 Engineers, relating to the delineation of ground water
2 aquifers in the North Atlantic Region, in conjunction with
3 a civil engineering team at the Polytechnic Institute of
4 New York. I am the geohydrolic consultant to this group.

5 Fourth, I am a consultant to the New Jersey Water
6 Supply Master Plan, to the Head or Project Engineer,
7 Havens and Emerson in North Jersey, in Bergen County.
8 That is the State-wide comprehensive master water supply
9 plan for New Jersey, which is starting up now.

10 Q Dr. Hordon, one final question, can you
11 define for us what is meant, what you would mean by the
12 term water management, what does it consist of?

13 A Water management or water resources management,
14 at first stroke would consist of water supply and waste
15 water or water quality issues. So those would be the
16 major constituents of water resources management.

17 MR. STERNS: At this point, Your Honor,
18 pursuant to Rule 8, I would offer Dr. Hordon as
19 an expert in water management plans.

20 THE COURT: Do you care to examine on
21 qualifications regarding this area of expertise?

22 VOIR DIRE EXAMINATION BY MR. CAIN:

23 Q Dr. Hordon, you have mentioned several
24 things you have done since back in March. Suppose I
25 start with the last one, the New Jersey State-wide water

1 research plan which you say is just now starting up. What
2 is the purpose of that? A The purpose of
3 the overall plan?

4 Q Yes, sir. A This is a one
5 million dollar study of three years in duration, which
6 began essentially in the spring of 1977, which is to
7 look at the entire picture of water supply planning for
8 the State of New Jersey.

9 There are five firms that are participating in
10 this study, which is to look at the water resources, both
11 surface and ground water, for the State, and also to look
12 at institutional issues, hydrolic issues, anything per-
13 taining to water supply for the State of New Jersey, for
14 the next several decades. This is first just starting
15 up at this time.

16 Q What prompted this study, was it some
17 funding from the Federal government, or just the need for
18 it, or what? A Well, the
19 planning for this began by Governor Byrne several years
20 ago. Along with this, Commissioner Bardin of the Depart-
21 ment of Environmental Protection, particularly since most
22 of the water systems in North Jersey are seriously over-
23 drawing their reservoir yield.

24 Essentially the only thing that has kept north
25 New Jersey going is the fact that there has been a very

1 wet period during the nineteen seventies. In order to
2 prepare, for what will be the probable return of the
3 drought some time certainly, the Governor and the respec-
4 tive commissioners decided to proceed with a comprehensive
5 water supply study, since the last one was done in 1955,
6 the so-called Tams or T-a-m-s study. It was felt that
7 enough has occurred to New Jersey since 1955 to necessitate
8 a look or study.

9 Q Then it was partly prompted by the '62 to
10 '66 drought, you would say? A Very definitely.
11 The deficiencies during that period were one would fear
12 a drought close to that to occur. Again, the effect would
13 be even worse because of the increased population in New
14 Jersey since the early nineteen sixties.

15 Q What is their timetable on this study,
16 what do they expect to have in information to force us
17 to use that as a practical matter? A As recently
18 as last week they are at the stage of recording all of the
19 water consumption and water demand from the appropriate
20 files in Trenton, and recording that, keypunching that
21 information. I would say in terms of usability results
22 it would be probably two years or possibly three.

23 Q I read and heard the term "critical areas."
24 Is this one of the problems that they have, critical
25 areas in terms of water supply, is this a term that is

1 used with respect to this study?

2 A Critical areas. Are you referring to critical
3 areas like flood plains or flood prone land, that is called
4 actual land areas or critical areas in the context of a
5 critical issue?

6 Q I would say in terms of water supply?

7 A In terms of water supply, then yes, they would be
8 addressing that, very definitely.

9 Q Now, you mentioned the North Atlantic Region
10 Hydrology Consultant, does that include our area?

11 A Yes, that does. Well, it includes the States of
12 Maryland, Pennsylvania, New Jersey, New York, Connecticut,
13 Massachusetts and Rhode Island. In the non-coastal plain
14 portions, that is what is referred to as the consolidated
15 rock portions or northern New Jersey would fall within
16 that region.

17 Q is that derived from already existing
18 statistics or are there field studies being made say in
19 our area, in support of this project?

20 A Given the scope of that particular project by the
21 Engineering Foundation of the Society of Civil Engineers,
22 no field work could be accomplished within the time frame.

23 It would be a review of existing ground water
24 reports, maps, and of course interviews with the appro-
25 priate hydrologist and ground water geologist in the

1 respective district offices of the U. S. Geologic Survey.
2 There would be no field delineation attempted for that
3 size of region at this particular point in the project.

4 Q Are you familiar with the so-called non-
5 degradation policy which the State has with respect to
6 stream quality? A Yes.

7 Q Can you tell us what that means?

8 A There is an element of vagueness within the State
9 EPA with regard to non-degradation policy. But this
10 means that the water quality shall not be degraded below
11 what is referred to as ambient conditions.

12 The difficulty is just with the definition of
13 what is ambient.

14 There is, as I mentioned, some vagueness within
15 the Department of Environmental Protection with regard
16 to this.

17 Ambient conditions could mean a summertime average,
18 could mean an annual average, could mean a minimum and
19 extreme condition in July and August. This has not
20 been exactly delineated by DEP.

21 Q Is' it true that the State sets certain
22 standards for stream quality? A The State sets
23 certain standards which are then subject to review by
24 the EPA, Environmental Protection Agency.

25 Q If your particular stream and the watershed

1 which we are studying is of a higher standard, is it
2 a correct assessment of the non-degradation policy that
3 you would not lower the standard of the stream, even
4 down to the State's minimum standards?

5 A Yes, that would be the non-degradation policy.

6 MR. CAIN: Your Honor, in order to save
7 time, I want to look at my notes. I think
8 Mr. Sutton will have a couple of questions, if
9 you permit.

10 THE COURT: Yes, go ahead.

11 VOIR DIRE EXAMINATION BY MR. SUTTOW:

12 Q Professor Hordon, you are a full time pro-
13 fessor, are you not? A Yes.

14 Q You, I believe, testified that you had
15 worked on certain of these projects. When did you do
16 the work on these projects? A The work on
17 the projects were done -- it would vary with each project,
18 but it would be primarily in the summer and also during
19 the year, whenever there aren't classes or labs.

20 This is fairly common for the faculty to have at
21 least one day set aside for research during the work,
22 during the year. Of course, some of these are done on
23 weekends also.

24 So the work would be done primarily in the summer,
25 but also spread throughout the year.

1 Q Now, for Round Valley, Inc., your "work was
2 as a private consultant, is that not correct?

3 A That is correct.

4 Q And you worked on other projects also as a
5 private consultant for corporations?

6 A That is not through the University.

7 Q That's correct, yes. A Yes, I have.
8 Yes, I have worked as a private consultant to other
9 non-University groups.

10 Q Have you worked for any private individual
11 or corporation relative to the environmental impact of a
12 PUD of this magnitude? A Yes, the work

13 was through the Center for Urban Policy Research of the
14 University, That was involving the Suburban Action Institute
15 case in Mahwah, New Jersey, and I was engaged in that.

16 Then I was acting as a consultant to an institute
17 of the University.

18 Q Is that the only other project?

19 A Involving a PUD, yes.

20 Q Have you worked on any projects in Hunterdon
21 County before? J . A With Spruce Run
22 and Round Valley in Hunterdon County, I would say I have
23 been doing this since my dissertation, that goes back 1965.

24 In that context I have been looking, in particular,
25 at the surface water resources of northern and central New

1 Jersey, including Hunterdon County, for over ten, twelve
2 years.

3 Q In relation to what specific projects?

4 A The projects were projects sponsored by then the
5 Organization of Water Resources research of the U. S.
6 Department of the Interior, with regard to water supply,
7 water supply within the New York-New Jersey metropolitan
8 region. Also, waste water which was a separate project
9 also with the same office of the Interior Department, again
10 with the same regional focus.

11 By virtue of the people that were attached to that,
12 I was the New Jersey consultant, so to speak, or my area
13 was to focus on New Jersey.

14 Q Would it be correct to say that this was very
15 broad, very general research? A Well, we had to
16 get into considerable detail with regard to stream flows
17 and letdown, release requirements, pipeline alternatives,
18 demand components within Bergen County, the plans of
19 Hackensack, Elizabethtown, Jersey City, Newark, because of
20 the interconnection. It was substantially specific for
21 this area and it had to be examined in order to arrive at
22 the conclusions which were commissioned by the funding
23 agency.

24 Q Did you visit Hunterdon County for this
25 project? A Yes.

1 Q How many times have you visited Hunterdon
2 County on various projects? A Since 1965, 1967,
3 when it started.

4 Q That will be my first question, yes.

5 A It must have been at least a dozen times or more.
6 I am not sure exactly, but at least there were a dozen
7 times to the County.

8 Q How many times did you come to Hunterdon
9 County on this project? A On which project,
10 I beg your pardon.

11 Q On the current project, the Round Valley
12 project, that is, not to testify on depositions or in court,
13 but your research? A I believe two or
14 three to Hunterdon County, and about half a dozen plus to
15 EPA offices in New York City and Trenton, for information
16 and interviews with appropriate officials.

17 MR. SUTTON: That's all the questions I have.

18 THE COURT: Anything else, gentlemen?

19 MR. CAIN: No further questions, Your Honor.

20 THE COURT: Then subject to whatever was
21 brought out., I think the gentleman is admissible
22 under Rule 8, hydrology, fluvial expert.

23 MR. CAIN: We don't question, or I don't
24 question Professor Hordon's qualifications in terms
25 of an expert. I may have some question as to the

PENGAD CO., BAYONNE, N.J. 07002 FORM 2045

1 weight of his evidence, as to the amount of time
 2 that he spent specifically on the streams in Hunter-
 3 don County.

4 THE COURT: That is going to the weight.

5 MR. CAIN: That goes to the weight, Your
 6 Honor. I am just making it noted for the record.

7 MR. SUTTON: The same would be correct
 8 insofar as I am concerned.

9 THE COURT: Proceed.

10 (Publication marked as Exhibit P-101-M.)

11 MR. STERNS: Your Honor, I noted one of the
 12 pile that I had neglected, it is marked as P-101-M.

13 DIRECT EXAMINATION CONTINUED BY MR. STERNS:

14 Q Can you identify this as one of your publi-
 15 cations? A Yes.

16 THE COURT: You have the report from the
 17 Professor?

18 MR. STERNS: I am going to that right now.

19 Q Dr. Hordon, at our request and direction,
 20 did you prepare two studies with regard to the subject
 21 litigation and the Round Valley, Beaver Brook project?

22 A Yes, I did, I prepared two studies.

23 (Study entitled Environmental Assessment
 24 of the Water Related Impacts of the Beaver Brook
 25 PUD marked as Exhibit P-102 for identification.)

PENGAD CO., BAYONNE, N.J. 07002 FORM 2046

1 Q I ask you, I show you one marked as P-102
2 dated March 11, 1977, a letter from you to Mr. Dishner of
3 Round Valley. It is attached to a report entitled
4 Environmental Assessment of the Water Related Impacts of
5 the Beaver Brook PUD. I ask you if that is one of the
6 studies that you prepared? A Yes.

7 (Study entitled Addendum number 1, Water
8 Supply for Beaver Brook PUD marked as Exhibit P-103
9 for identification.)

10 Q Then, did you prepare a second study, and
11 I show you a document already marked P-103, dated April 8,
12 1977, a cover letter to Mr. Dishner, including a document
13 entitled Addendum number 1, Water Supply for Beaver Brook
14 PUD? A Yes.

15 Q Are those two studies that you prepared?

16 A Those were the two studies that were prepared.

17 Q Let me just ask, were these the subject of
18 examination at depositions of yourself?

19 A No, just the first one. At the time of the deposition,
20 only the first report. The Water Supply Addendum was pre-
21 prepared following the deposition.

22 MR. STERNS: I believe you received copies
23 of it?

24 MR. CAIN: I don't remember, I am looking.

25 MR. STERNS: I represent that it was sent to

1 counsel on April 14.

2 Q, Turning to the first report, which is the
3 one that is marked P-102, entitled Environmental Assess-
4 ment of Water Related Impacts, could you describe first
5 what materials you used in-preparing that report?

6 A The very first reports that we used were background
7 reports that were furnished to me by Round Valley, Inc.
8 The reports were essentially three, that was "A Planned
9 Community" which was done by Rahenkamp, Sachs, Wells and
10 Associates, dated December, 1973, a Round Valley feasibility
11 report appendix 2, dated January, 1974, which included the
12 reports of Richard Jesky, enginner, and Vincent McKeever,
13 engineer,

14 Q What other documents?

15 A The other documents that were used on Round Valley-
16 Raritan River Basin Water Quality Management Plan, Phase 1
17 Draft Document of the Department of Environmental Protection,
18 August, 1976. A report entitled Ground and Surface Water
19 Plan, Report 4, prepared by the Hunterdon County Planning
20 Board, December, 1967. The Geology of Hunterdon County,
21 prepared by the Department of Environmental Protection,
22 August, 1970, and the larger version of that report called
23 Special Report Wo. 24. That is the Geology and Ground
24 Water Resources of Hunterdon County, prepared by Haig,
25 Kasabach, that is a special report dated 1966.

1 Q Dr. Hordon, could you describe for us what
2 was the purpose of this first report?

3 A The purpose of the first report, there were several
4 objectives. The broad objective was to look at the water-
5 related impact of a proposed PUD on a specific site in
6 Clinton Township.

7 The second objective, which came out of that, was
8 to attempt to assess what the probable impact would be,
9 given a series of synergies or alternative development
10 schemes for land use on the tract in question.

11 Other objectives were to look at the water supply
12 and waste water facilities. The water supply, though,
13 had to be developed in greater length in Addendum No. 1,
14 just given the time frame that was available then.

15 Q Dr. Hordon, I note at page 3, Section 3 of
16 your report, that you describe watersheds.

17 I ask you, did you bring any maps which
18 would assist us and the Court in envisioning what these
19 watersheds involve? A Yes, I did, I
20 have brought four maps in varying scales.

21 Q Can you point them out? They have all been
22 marked, and if you can point them out, referring to the
23 numbers, I guess two are up there.

24 (Five maps marked as Exhibits P-104 through
25 P-108 for identification.)

1 Q P-107? A 107 is the
2 closeup of the conditions. The scale gets larger with each map.

3 Q I would ask you to refer to those papers, if
4 you feel necessary, if you would describe the Raritan
5 Basin? I would ask you, if you do choose to refer to a
6 map, that you refer to the P-number, P-104, 105 or whatever.

7 With that in mind would you, describe the
8 Raritan River basin which you refer in your report?

9 A Going to P-104, which is the photo mosaic map,
10 this is taken from a satellite with a one inch to eight
11 miles, consisting of many smaller photos which have been
12 put together in what is called a standard photo mosaic.

13 The particular film that was used was for satellite
14 elevation of more than 500 miles and focuses attention on
15 the deep blue, which, of course, is water, and varying
16 shades of red. It shows the varying types of vegetation,
17 and also the geologic structure.

18 The two areas in question, the Round Valley, shows
19 up and Spruce Run show up as very, very deep blue. This is
20 because of the nature of the film that was used in the
21 particular satellite view.

22 The virtue of this particular map, P-104, is that
23 it shows essentially that the region, or at least Ne*
24 Jersey, in terms of its water supply, is fairly much of
25 an island, with the only part of the State that is connected

1 to the mainland, would be the artificial boundary with
2 New York State. Other than that, the State is completely
3 surrounded by water, the Delaware on the west side, the
4 Delaware Bay on the south, and the Atlantic Ocean on the
5 east. The State is considered a peninsula.

6 If you care to liken it in terms of water supply,
7 it is an island in terms of water supply planning on a
8 macro or large scale level.

9 This is very, very useful to indicate just the
10 availability of what would be the fresh water available
11 within the State.

12 Another item that comes, of course, is the large
13 number of lakes and reservoirs within northern New Jersey.
14 This is because the State has been glaciated in the
15 northern portion. That substantially affects the geo-
16 hydrology portion of the State. That would be approximately
17 one third to one fourth of the State would be included
18 within that.

19 The area in question, of course, which is not
20 delineated, but that is Clinton Township, lies — of course,
21 both Spruce Run and Round Valley of course lie within
22 Clinton Township.

23 So we would be in what is called the consolidated
24 rock portion of New Jersey, as distinct from the coastal
25 plain, which makes up the other 60% of the State. It also

1 indicates, of course, that the area is between two physio-
2 graphic provinces, which are important for ground water
3 purposes, that is the Piedmont province in the central
4 part of the State, and the New Jersey highlands, which
5 contain the oldest rocks found in the State, greater than
6 600 million years, as compared to the Piedmont section
7 which, of course, are the shales, sandstones, and they
8 have a geology of about two to five million years, of that
9 order.

10 Q Now, are you going on to 105?

11 A Yes, P-105 how, on a scale of one inch to four
12 miles. As a result of going with the scale change, our
13 area, that is, the size of the sheet, goes up by a factor
14 of four. So the map is four times the first map.

15 The purpose of this is, of course, the outline
16 shows the Raritan River Basin. This is the largest basin
17 entirely within the State of New Jersey. 1,100 miles of
18 which is the sub-watershed called the South Branch,
19 shown here in shaded red. That comprises approximately 276
20 square miles that makes up about 25% of the entire water-
21 shed, *j*

22 The area shown in green is the Passaic watershed,
23 which extends beyond the New Jersey-New York boundary,
24 going into Rockland County, into New York State. That is
25 the Passaic watershed shown in green lines, and the

1 Hackensack watershed shown with crosshatching in the brown.

2 The purpose of putting these two watersheds are very
3 simple. Half the population of New Jersey is within the
4 Passaic-Hackensack basin. The future water supplies of at
5 least a portion is to serve the needs of the three and a
6 half to four million people within Passaic-Hackensack
7 basin, which would presumably come from the Raritan. The
8 magnitude of which has not been fully authorized by the
9 State.

10 But there is already a diversion from the Raritan
11 basin to the City of Newark in the Passaic basin of an
12 annual average of 10,000,000 gallons per day. The purpose
13 of putting that on is, of course, that is a potential
14 demand area for the Raritan basin.

15 Q Would you just briefly describe what you
16 mean by diversion, since that is probably a term that will
17 come up again?

18 A The diversion
19 refers to the actual acquisition of rural water from —
20 well, a diversion actually refers to the acquisition of
21 rural water, either surface or ground water, treating it,
22 then distributing it into a pipeline for ultimate consumption
23 for the consuming residents of industry or whatever that
24 may be. The diversion of 10,000,000 gallons per day, which
25 now comes from the Raritan River through the City of
Elizabeth to the City of Newark, is coming from the Raritan

1 **River via the pipelines of the Elizabethtown Water Company,**
2 **with their branch — rather, their filter plant at the**
3 **confluence of the Millstone and the river at Bound Brook,**
4 **or very close to the community of Bound Brook. Right now**
5 **the contract calls for 10,000,000 gallons per day.**

6 Q Please go on. A Therefore, the
7 diversion refers to raw water, the water is, of course,
8 treated by Elizabethtown to meet potable water standards,
9 then is distributed.

10 As of now there is an unallocated portion of water
11 available within the basin by virtue of the construction
12 in the 1960's of Spruce Run and Round Valley, which have
13 substantially, radically, changed the yield of the Raritan
14 basin, without question.

15 The yield, in the absence of the reservpiers would
16 be lower than 40 m.g.d. or million gallons per day, given
17 the low flow characteristics varying parameters.

18 (If given the existence, though, of Spruce Run with
19 eleven billion gallons of storage and Round Valley with
20 fifty five billion gallons of storage, the yield of the
21 Raritan basin has now been determined to be either
22 250 or 280 million gallons per day, depending upon the
23 drought of record that will be used by the State. That
24 would either be the drought of the early nineteen thirties
25 or the drought of the early nineteen sixties.

1 You have a difference of 30 m.g.d., depending upon
2 how conservative you wish or the State wishes to be.

3 There is then, out of the 250 or 280 m.g.d., there
4 is a required 90 m.g.d. letdown that must be maintained,
5 or flow at Bound Brook. You are using letdown and flow
6 interchangeably.

7 More specifically, let's correct that, the letdown
8 would be the minimum flow at Bound Brook, which must be
9 90 m.g.d. at all times until changed by the State.

10 That 90 m.g.d. is for the purposes of maintaining
11 a minimum flow within the tidal part of the Raritan, which
12 would be at least, from that point, about two miles from
13 the confluence of the Raritan and Millstone through
14 New Brunswick out to Raritan Bay, which is about twenty
15 miles.

16 In order to keep some water flowing in that channel
17 for dilution purposes and quality, the State has mandated
18 a 90 m.g.d. minimum flow, which is also one of the lowest
19 within the State.

20 Q Go ahead, you can continue.

21 A The amount of water that is available for allocation
22 right now, Elizabethtown Water has 70 m.g.d., Middlesex
23 has an additional 20 m.g.d., for a total of 90 m.g.d.
24 available. Another 90 must be the minimum flow. So that
25 is 90 plus 90, which is 180, 250 minus 180 zero, yield

1 70 m.g.d. That is the amount that is presently unallocated.
2 It is being kept in storage.

3 Presumably the Water Supply and Supply Council is
4 authorized by the State to arbitrate and to allocate
5 that water -whenever a customer or a water purveyor would
6 apply for that water.

7 Q You want to move on now in your description,
8 have you concluded your description of the Raritan basin?

9 A Yes, for our purposes.

10 Q Is there anything further that you want
11 to show on the more detailed map with regard to that?

12 A Yes, if I could.

13 Q Let me say this, I am going to ask you, I
14 think you have done it thus far, I am going to ask you
15 to describe the Raritan basin, then I am going to ask
16 you to describe the South Branch watershed. So if you
17 want to do them both at the same time, that is perfectly
18 acceptable? A Map number P-106,

19 we have now changed our scale from substantially one
20 inch to four miles, to one inch to 2,000 feet.

21 These are^the standard seven and a half minute
22 quadrangles. They were merely cut out and pasted together
23 in this fashion.

24 The items on here, just for the purposes of
25 reference, the red line indicates the boundaries of

1 Clinton Township. The photo reversion procedure, by
2 the U. S. Geological Survey, shows the purple tinting.
3 This has been photo revised in 1970 to show Spruce Run
4 and Round Valley. High Bridge Borough, Lebanon Borough,
5 Town of Clinton, are also delineated in red for reference.

6 The areas shown approximately on this map are
7 the outlines of the R.V.I, tract, the Goble estate, 490
8 acres and the remainder on the western part of Route 31.

9 The R.V.I, estate is shown, of course, in purple,
10 since the topography of the map dates to 1954. The
11 Government in its revision merely goes by purple overlay
12 to show the land use and changes, hydrological changes
13 that have occurred since then.

14 The area shown in blue is the course of the South
15 Branch shown by, of course, one line, although the river
16 does have several islands in it. It was shown on this
17 map as one blue line to show the generalized path of
18 the South Branch. Two smaller tributaries were shown be-
19 cause they focus on the R.V.I, tract, that was the Beaver
20 Brook, a portion of which comes from the tract and empties
21 through the Town of Clinton into the South Branch. Of
22 course, Chambers Brook, which drains into, in particular,
23 the Goble estate, and comes into the South Branch, very
24 close to the Hamden intake.

25 The two items which axe slashed in yellow, I hope

1 would be visible. I will delineate them now, the Ramden
2 intake which is 150 m.g.d., up from the South Branch
3 to Round Valley.

4 The drainage of the Round Valley is only 5.7 square
5 miles and it is totally inadequate to support the reser-
6 voir, which is the largest reservoir in New Jersey. It
7 requires a pumped diversion from the South Branch. This
8 pumping can occur whenever the river flow exceeds 40
9 m.g.d. as measured at the Stanton gauge, which is shown
10 in yellow here, just a little bit below* the boundary of
11 Clinton Township.

12 Therefore, when the river flow is greater than
13 40 m.g.d., the water can be pumped from the South Branch
14 into Round Valley for storage.

15 Just recently they have now opened a 108 inch
16 pipeline to release some of the water for reuse downstream
17 in the Raritan.

18 Those were the major features indicated. The only
19 other yellow on the map is, of course, just the community
20 names that are shown on P-106,

21 Q Okay. Now, is there anything on the more
22 detailed 107 that you would want to talk about?

23 A 107 was more of an accurate index of 106. That
24 is now at a scale of one inch to 1,000 feet.

25 The items shown on this are a more exact boundary

1 of the R.V.I, tract. For the purposes of clarity, the
2 Borough of Lebanon is shown in red, High Bridge and, of
3 course, the boundary of Clinton Township and the Town
4 of Clinton, the Hamden station just showing at the
5 lower portion of the map.

6 Also on this, although not indicated, would be
7 in the extreme, the southern extreme, southern portion
8 of Clinton, the sewer treatment plant that would be
9 just within the boundaries of the Town of Clinton.

10 Q Now, does that then complete your descrip-
11 tion of the Raritan and South Branch as shown by these
12 maps? A Yes.

13 Q Okay. I would like you to basically speaking,
14 would it be correct to say that what you have done by
15 using the charts, could you describe in words Section 3
16 of your report, that is, the R.aritan and 'South Branch
17 watersheds, page 3? A Specifically
18 that would be Roman numeral HI on page 3 of my report,
19 yes.

20 Q Now going on to Section 4 of your report,
21 that is entitled Regional Issues, and you categorize
22 Regional Issues as water supply. Would you explain what
23 you mean by that? A The regional
24 issues for water supply involve essentially the fact
25 that the Raritan basin is a source area for consumers,

1 not just within the basin. Therefore, the regional
2 implications are that the reservoirs that have been
3 built within the basin, a portion of their yield has
4 been diverted to the Passaic.

5 Q So this is a diversion that you have already
6 talked about. The regional issues you refer to the fact,
7 as you have already testified, that water may go out of
8 it into other watershed areas? A Yes.

9 Q Have you conducted an analysis of the
10 South Branch, I am referring to page 7 to 9 of your
11 report now, Water Quality in the South Branch?

12 A Yes, I have.

13 Q What conclusions did you come to?

14 A The conclusions that I have arrived at with regard
15 to water quality?

16 Q Yes. A With regard to
17 water quality, or the fact that there have been — the
18 State and the U. S. Geological Survey, other groups,
19 too, have been making water measurements. Probably
20 the most recent summary of these has appeared in the
21 August, '76 draft document which was referred to
22 earlier. That is the one that is called the Raritan
23 River Basin Water Quality and Management Plan, Phase 1,
24 or more technically, what is a 303-E plan as required
25 under public law, 92-500, or the Water Quality Improvement

PENGAD CO., BAYONNE, N.J. 07002 - FORM 2046

1 Act of 1972.

2 The 303-E plan, or the basin plan, discusses a
3 number of issues, including the water quality and water
4 supply aspects. The South Branch watershed is one part
5 of that rather voluminous draft document.

6 Q Now, with regard to your analysis of
7 the South Branch, did you also do a comparative study
8 of the impact on water quality of various uses of the
9 tract of land that is under consideration here, namely,
10 the Goble tract, did you conduct such a study?

11 A I did conduct such a study. The initial objec-
12 tive was to try to assess what the probable impacts in
13 quantity and quality would be of alternate land uses on
14 site.

15 The most recent models that have been developed
16 by EPA, which is the natural agency in this regard, does
17 not allow one at this point in time to compare, directly
18 compare, agricultural land use with residential, indus-
19 trial, commercial land use.

20 Therefore, the only direct comparison that could
21 be made now, given the state of the art, is to compare
22 residential versus commercial, or residential versus
23 industrial. Agricultural cannot be compared directly,
24 it would have to be what I would call indirect.

25 Q Leaving aside for the moment the question

1 of agricultural, we will come back to that.

2 " What do you find, with regard to your analysis
3 of comparative uses of this land and its effect on water
4 quality, I take it from what you have said now that you
5 are going to be comparing a PUD, which was described to you
6 as approximately a 3,550 unit PUD as opposed to the PvOM,
7 that is the present designation for planning on the Goble
8 tract, that is designated by the Township of Clinton. Is
9 that what you are comparing? A Yes, I was compar-
10 ing the PUD proposal with the information furnished to me
11 and the expected land use and density values that were
12 given to me by Mr. Dishner and Mr. Rahenkamp. Then compar-
13 ing it with an ROM plan, which made some fairly conservative
14 assumptions with regard to impervious cover.

15 Q Would you please give us your analysis of that,
16 including whatever assumptions you think would be important
17 to our understanding? A Two components to
18 the alternate land uses, one is a quantity part, one is a
19 quality part.

20 The quantity part, which gets at the amount of runoff
21 which is expected to be generated, involves an assumption
22 of annual precipitation which, of course, is a straightforward
23 percent of impervious cover, which would be fairly exact
24 for the PUD and had to be assumed for the ROM. The percent
25 of impervious cover, given the ordinance in the Township for

1 ROM, was assumed to be 20% maximum building coverage, which
2 was in accord with what was then the current regulations
3 of the Clinton Township zoning regulations.

4 I added 30% for parking lots, driveways, loading
5 ramps and rights-of-way, to come to an impervious cover
6 of 50%.

7 This was about 30% under EPA estimates for commercial
8 land use, which would suggest a value of 80% impervious
9 cover. That is what I first started out with, but then
10 downgraded it to 50% total impervious cover because of the
11 existing zoning regulations that govern the site.

12 Q Let me see if I understand you. What you are
13 saying is that EPA would consider or allow for more cover
14 than do the regulations of the Township of Clinton?

15 A For our purposes, if I may just clarify?

16 Q Please do. A For the purposes
17 of estimating pollutant loading, for the purposes of
18 estimating runoff generation, EPA and other agencies have a
19 variety of figures for different land uses. The one for
20 commercial is about 80%, that they would estimate for
21 impervious cover.

22 In my draft I started using 80%, but then, upon
23 consultation with Mr. Disher, who informed me about the
24 zoning regulations, I then revised that downward to 50%,
25 which, of course, was more conservative than the 80%.

1 Therefore, the impact would be upward.

2 Q, What is the significance of impervious cover?

3 A The impervious cover would, of course, diminish any
4 possibility of ground water recharge on the particular site
5 and would severely increase the amount of runoff that
6 would be expected, both total runoff and peak runoff. There
7 are two components of runoff, both would be increased by
8 that amount of impervious cover.

9 Q Okay. Please go ahead.

10 A Thank you. The assumptions for the ROM then were
11 based on impervious cover of 50%. The assumptions were
12 based on the plans that were furnished to me and calculated
13 out for the PUD, were approximately 21%. The exact numbers
14 are on the report and I will round them out for the purposes
15 of our discussion now.

16 It would be approximately 21% impervious cover.
17 Therefore, the annual runoff, which is based on an equation,
18 that is an EPA equation, turns out to be approximately
19 double. That is, the ROM is expected to generate in the
20 absence of any control mechanisms, twenty two inches, or
21 nearly half of the incoming precipitation of forty five
22 inches per year.

23 The PUD, given that impervious cover and depression
24 storage, is expected to generate about eleven and a half
25 inches, or approximately half of what the ROM would be.

1 The major difference, of course, being the impervious
2 cover. , •

3 Q What does that mean in practical terms, for
4 example, to a concept like the degradation of the stream,
5 what is the impact of 22 versus 11-1/2?

6 A This would be purely a quantity. This is a quantity,
7 not a quality aspect, truly a quantity. That would mean
8 that given these assumptions you would have to handle
9 twice as much water from the ROM site as you would from the
10 PUD site, purely in the numbers of gallons or in inches,
11 but purely in a quantity term. That is approximately
12 double that.

13 I also point out for clarification, that even
14 though the ROM assumption of 50% impervious cover is more
15 than two and a half times the PUD impervious cover of 21%,
16 the runoff generated is not two and a half times, but
17 actually double.

18 This difference is attributed to the nature of the
19 estimated equation that was used by EPA, that is one
20 reason for that.

21 The second part relates to the prediction of annual
22 average pollutant loadings. This assumes, in this case,
23 the EPA has really two categories. If I may dichotomize,
24 there is a very sophisticated, elaborate, computerized storm
25 water management model procedure which would presumably be

1 more accurate. That would require a much larger staff
2 and would be way beyond the scope of this particular study.

3 As a result of the difficulties in dealing with
4 that, the EPA, very recently, that is in the spring, 1977,
5 released a report called what they call a Desktop Assess-
6 ment. That is a model for estimating pollutant loadings
7 which could be done with a simple hand calculator. There-
8 fore, this was the type that was employed within the study.

9 The assumptions that go into this are the land use.
10 Now, the land uses that were available by EPA were residen-
11 tial, commercial, industrial. The one that was used then
12 in the PUD was, of course, with the residential.

13 The ROM was presumed to be closer to commercial
14 than industrial. Therefore, the co-efficients that were
15 employed were the commercial ones rather than the industria-
16 ones. The pollutant loadings, or the pollutants that
17 were indicated were BOD5 which is the biochemical and
18 oxygen demands. The standard water quality variable, sus-
19 pended solids, total phosphates and total nitrogen, these
20 were the four pollutants that EPA was using in their
21 particular study, ,,

22 Of course, the phosphates and the nitrate they were
23 interested in for the purposes of eutrication or enrich-
24 ment of water courses.

25 THE COURT: Can you tell me the approximate

1 Page you are on in your report?

2 THE WITNESS: Certainly, page 12. Page 12
3 of the report lists the respective land uses, the
4 four water quality pollutants, the population
5 functions.

6 Again, these are stipulated by the EPA
7 model and you apply the respective population
8 functions, given the density and values.

9 Then the fourth assumption is the street
10 sweeping, which could have a very substantial
11 impact. For the purposes of this, I use the default
12 which was a sweeping interval of 20 days rather
13 than any other value in that. Although one could
14 go through with the other equations, I took the
15 average annual precipitation of 45 inches per year,
16 based on the thirty year period of 41 through 70.
17 I developed an estimated population for the Goble
18 tract of 24 persons per acre and assumed a street
19 sweeping frequency for both land uses of 20 days,
20 ROM and PUD. I applied a population function
21 going into^page 13 of the report and selected the
22 pollutant loading factor which was given in the
23 report.

24 I continued the assumption that the ROM
25 would occupy 50% of the Goble estate under the ROI

1 zoning. My last assumption was that the area had,
2 will have, separate storm and sanitary sewers.

3 Then I went ahead and supplied it. The results indi-
4 cate that the ROM site would be expected to generate
5 a percentage increase of 154% over the FUD site
6 in BOD loadings or organic pollutant loadings, 49%
7 more in the phosphates, 48% more in the nitrogen,
8 practically the same, about 8% less, the only cate-
9 gory that showed up less were the suspended solids.

10 That is, the FUD would generate 8% more
11 than the ROM

12 The ROM site would be expected to generate
13 a larger pollutant loading, which would then go
14 into the receiving water course, namely, the South
15 Branch of the Raritan.

16 Q So that what is your conclusion with regard
17 to the degradation of the South Branch as compared between
18 the proposed zoning of the Goble tract, ROM, and between
19 a FUD use?

20 A Between the FUD
21 plan and the ROM, the FUD — to put it another way, the
22 ROM would be expected to generate substantially more
23 potable loadings with the exception of suspended solids,
given the model that was used.

24 Q How would the suspended solids be handled?

25 A Suspended solids could be handled in a management

1 scheme by a series of detention basins, would be one
2 method of handling that.

3 Q j Now, did you have a chance to review the
4 R.V.I, proposal to see if detention basins were included
5 in it?

6 A Yes, I did review
7 the R.V.I, material, in particular the background report
8 called A Planned Community, by Rahenkamp's firm. And the
9 McKeever report or McKeever's report, which was incorporate
10 along with Jesky's report.

11 That plan called for sixteen detention basins on
12 both sides, which were to be an absolute integral part of
13 the plan. Indeed, in absence of the performance specifica-
14 tions, very clearly I could not make a statement that the
15 PUD or the Beaver Brook PUD would degrade the water environ-
16 ment,

17 Given the performance specifications which stipulate
18 detention basins, I can then make that comment, that in
19 the presence of those specifications, the development would
20 not degrade the water environment.

21 Q Okay. Now, Dr. Hordon, you stated earlier
22 that it was not possible to compare agricultural uses, as
23 you have just done with ROM and PUD uses. Can you tell us
24 briefly why it is not possible to compare, and also if
25 you have -- I note your report does talk about agricultural
runoff. I x-juld like to briefly have you address that

1 subject. A One of the reasons
2 that one cannot compare the agricultural land use directly
3 with the urban land use, had to do with the impervious
4 cover assumption. Which, in the case of agriculture would,
5 of course, be extremely minimal.

6 Therefore, the impervious cover is absent in that
7 and the models cannot be used to directly compare.

8 In order to make some kind of assessment for what
9 will be the impact, one would have to know the exact
10 loadings of fertilizers that are put on, and at what time
11 they would be put on. One can only make an assessment,
12 by itself, one couldn't do a comparative basis reasonably
13 on that.

14 Going through the literature, several items of
15 which are referenced, several are referenced in the report
16 on pages 14, 15 of my report. Several of what you might
17 call standard references, the amount of pollutants generate^A
18 by agriculture, naturally by implication, of course, to
19 New Jersey and Hunterdon County, certainly are very, very
20 substantial. In particular, a majority of the pollutants
21 are sediment. Without any question, sediment is considered
22 without question a major pollutant by EPA and, of course,
23 others.

24 This would be a major pollutant that would be
25 expected from agriculture. Of course, also, the nitrates

1 which are soluble, will get in either as surface runoff
2 directly to the receiving watercourse, or get into the ground
3 water, go into solution. Since ground water sustains the
4 flow of the stream, the nitrates will get to the surface
5 water via the ground water. So the nitrates would come in
6 from fertilizer applications, just given the fertiliser
7 applications that the farmer puts on. The phosphate
8 portion, the phosphates tend to be absorbed on wet soil
9 particles which then go through the process of erosion and
10 precipitation would be washed into the watercourse. Then
11 the phosphates will get into the watercourse, not by solution,
12 but by being carried as sediment particles.

13 The magnitude of the nitrogen here, this varies
14 enormously because of what is called the nutrient recovery
15 rates. Here, depending on the time of the applications,
16 that is rather crucial, in the instance of corn, there are
17 many values for corn. They indicate that out of all of
18 the nitrogen fertilizer put on the corn, the nutrient
19 recovery or that portion that can be part of the uptake
20 of the plant, is in the range of 30 to 70%. Splitting
21 that would give you 50%. This would indicate that about
22 50% of the nutrient fertilizers put on would actually get
23 into the corn plant. The other 50% would get into either
24 surface runoff or leached into the ground water. An unknown
25 amount of that could also be what is referred to as

1 denitrified. That could get back to the atmospheric sink.
2 The magnitude of that is unknown at this point.

3 Q Do you feel that you can make a comparison
4 in your own mind between the possible degradation to be
5 caused by agricultural use as opposed by the PUD use that
6 you have been describing, and which has already been
7 introduced as P-1, namely, the Rahenkamp land use plan?

8 A The major pollutant which, carries along with it
9 several other pollutants in agriculture, would be sedimen-
10 tation, which, very definitely, would be a major pollutant.

11 As long as you have sediment, you have the possi-
12 bility of phosphates being absorbed onto the individual
13 particles then, which would wash into the receiving water-
14 course. The nitrogen would come via solution.

15 Q Can you say which, in your opinion, might
16 cause more problems for the watershed, if you have an opinion
17 on that subject, an agricultural use such as you have
18 described -

19 A I would think the
20 sediment would probably be the most substantial. Corn is
21 a row crop. It is open tilled and at this point, in my
22 opinion, there would probably be sediment which would be
23 a very substantial pollutant.

24 Q Moving on to another aspect, did you study
25 the Clinton Township sewerage plant in relation to the
impact of the South Branch and, of course, its relation to

1 the proposed Round Valley PUD site? A Yes, I
2 did. A little less emphasis was given to the Clinton
3 sewer plant than on the water supply issue. Partly because
4 Taylor, Weissman and Taylor were going to go into a little
5 more focus on the internal aspects of the plan.

6 Q With relation to the South Branch, what
7 conclusions did you come to? A Many conclusions
8 that I came to on looking at the files of the region
9 and looking through what the EPA had and the files of
10 the DEP in Trenton.

11 They simply show an unused capacity, average flow
12 how of 0.6 m.g.d. as compared to a capacity design of
13 1.5 m.g.d.

14 Indeed, the applicant has a hydrolic capacity
15 of 2.03 m.g.d., which, upon the completion of sludge
16 digestors would indicate an even larger unused capacity.

17 So the applicant is right now operating at less
18 than its hydrolic capacity.

19 I also looked at this, as is indicated at page 17,
20 table 7, the Effluent Flow from the Clinton Sewer Plant,
21 for calendar year,, 1976 as compared to the flow in the
22 South Branch.

23 The values from the flow in the South Branch come
24 from the operator's report, that is the monthly average.
25 The effluent flow from the applicant come also from the

1 **monthly operator's report.**

2 I looked at that and made monthly dilution ratio
3 comparisons. It turns out that the average for 1976,
4 between the effluent flow and the flow in the South Branch,
5 was of the order of 195 to 1, for an annual average.

6 An extremely daily minimum dilution ratio recorded
7 on August 19, 1976, was a 55 to 1 ratio, that was the
8 extreme low.

9 I looked at the BOD, biochemical oxygen demand, and
10 suspended solid removal rates for the applicant, which
11 is considered a secondary plant. The rates were well in
12 excess of 90%. In fact, they were substantially higher
13 than that.. Indicating that those were very, very good
14 removal rates for a treatment plant. Indeed, some of the
15 value of removals of the order of 95, 96%, certainly well
16 above the 90%, which is stipulated.

17 Q What is the meaning of the dilution ratio,
18 what does that tell us on table 11

19 A Table 7 is saying, at that point that the amount
20 of effluent flow to the flow in the South Branch, at the
21 same point, is of the order of either 55 to 1 or an annual
22 average of 195 to 1. That means that there is one part
23 of treated effluent to 195 parts of raw water, which is
24 of good quality, within the South Branch. That would be
25 considered, just as a first approximation, to be very good

1 dilution ratios. Certainly compared to some samples on
2 the Passaic, < these are very, very, very substantial
3 dilution ratios.

4 Some plants on the Passaic, if I just, without men-
5 tioning the specific plant, would have dilution ratios
6 of less than 10 to 1, that is treatment plant effluent
7 to flow, in the upper Passaic or portions of the upper
8 Passaic,¹ 10 to 1 or 5 to 1. There are reports that
9 they even have been lower than this.

10 So when I see a value of 55 to 1, which is extremely
11 low for one day, this indicates that there is very substan-
12 tial dilution of treatment effluent, which in itself is
13 highly treated. I would value that favorably.

14 Q All right. Dr. Hordon, now with reference
15 to this entire report, I note you have summary conclusions
16 starting at page 16. I do not want you to repeat entirely
17 those, but would you just briefly give us your major
18 conclusions on this report with regard to the RUD proposal,
19 and whether or not, in your opinion, it would cause degra-
20 dation of the water supply for the South Branch system?

21 A I will start with the first. Given the performance
22 specifications which were shown to me and written in the
23 various documents that were referenced, it is my opinion
24 that there will not be degradation of the water environ-
25 ment. In particular, the performance specifications

1 include detention basins, they enumerate sixteen within
2 the initial plan, scattered over the site. Those are con-
3 sidered to be very useful mechanisms or devices for re-
4 taining flood peaks, for acting as sediment traps for any
5 of the storm water that is generated within the sites, and
6 third, for acting as recharge ponds.

7 Now, the exact magnitude of how much can be recharge[^],
8 would require a more detailed on-site investigation. But
9 given a look at the soils on the site, it is apparent
10 that there will be that recharge. That will be a conserva-
11 tive statement, certainly.

12 The second observation or conclusion would be that
13 the water that would be consumed on the site, about 75%
14 of that, would be returned to the basin and, therefore,
15 will not be, to use the term, lost, to the basin. This
16 water that will be used would, of course, be treated,
17 presumably treated, and would be available for reuse either
18 further downstream or for reuse to be pumped out of the
19 basin and to the Passaic-Hackensack basin. So, therefore,
20 that is a very substantial portion.

21 Out of the estimated one m.g.d., 75% would be re-
22 turned, which is the estimated sewerage flow that should
23 be generated. 25% would either go back into the ground
24 water recharge, or would be available as evapotranspired.

25 It is a process of a combination of evaporation, transpiration,

1 that would be a second conclusion.

2 Also, with regard to the plant, the particular
3 Clinton sewer plant is twenty five miles above the proposed
4 confluence reservoir. Which would mean, assuming the con-
5 fluence reservoir is finished, which would mean that there
6 would be some assimilative capacity, some renovation of
7 effluent within twenty five miles of the Clinton sewer
8 plant.

9 THE COURT: You are pumping water from
10 the sewer plant and you have a twenty five mile
11 flow down until you get to the Hamden gate again,
12 is that it?

13 THE WITNESS: I'm sorry, the Clinton sewer
14 plant is above the proposed confluence reservoir.
15 It is 11,000 feet above the Hamden intake, or a
16 little bit, approximately a little bit more than
17 two miles.

18 THE COURT: Does that mean that you are
19 twenty seven miles then?

20 THE WITNESS: It is two miles upstream of
21 the Hamden intake and twenty five miles upstream of
22 the confluence reservoir where the North and South
23 Branch would come together.

24 Because of the different characteristics of
25 the reservoir, Round Valley Reservoir being a very

1 deep, cold reservoir, more on the idea of one that
2 is referred to as a lithotrophic reservoir because
3 of the depth and eutrification of that reservoir,
4 which would differ from the confluence reservoir,
5 which would be a smaller and shallower reservoir.

6 The twenty five miles of free flowing river
7 act as an additional safety factor for the plant.
8 That is very substantial river length of treated
9 effluent.

10 THE COURT: This particular project or plant,
11 to pump up to the Clinton thing, therefore, if it
12 added anything to the environment, it would be
13 coming back down and still be filtered by this length
14 of travel?

15 THE WITNESS: Yes.

16 THE COURT: Anything else, did you cover all
17 the summary now?

18 Q Is there any other point with regard to this
19 entire report that you would like to make, that we left
20 out? A Yes, some of the

21 other performance specifications which I --

22 Q In other words, you are talking about the
23 performance specifications that are set forth as proposals
24 of the PUD? A That's right.

25 Q You have assumed that all of those - in

1 other words, your report, I think it states it clearly,
 2 are those all of the specifications which are laid out in
 3 P-1 and P-4 that are already in this case as documents
 4 before the Court, that those are pre-conditioned to your
 5 making your conclusion which you have here, that there
 6 would be no degradation? A Yes, in the
 7 absence of those performance specifications I could not
 8 make that statement. . :

9 Q But Tffith them, are you comfortable and
 10 confident with your conclusion? A Yes.

11 Q I would like now, Dr. Hordon, if I have
 12 left out any other major areas, this would be the time?
 13 I understand, I think the Court understands these
 14 performance specifications. If there is nothing further,
 15 I would like to move on to your second report. Take your
 16 time before you do that.

17 The environmental impact then, I gather,
 18 considering this whole project, in your first report was
 19 what? A The environmental

20 impact would be that there would not be any significant
 21 degradation or any water related impact as a consequence
 22 of the development, as long as the performance specificatio^{ns}
 23 that I have seen are there.

24 THE COURT: All right, take a break now,
 25 Mr. Reporter.

PENGAD CO., BAYO N.J. 07002 FORM 2048

1 (Whereupon, documents previously marked as
2 Exhibits P-96 through P-107 for identification,
3 marked into evidence. Whereupon, a short recess
4 takes place.)

5 THE COURT: The April 8th report, gentlemen?
6 DIRECT EXAMINATION CONTINUED BY MR. STERNS:

7 Q Mr. Hordon, I would like to direct your atten-
8 tion now to what has been marked as P-103, and identified
9 as your second report dated April 6, 1977. What does this
10 report concern itself with? A The focus of
11 report P-103, is to look at the issue of water supply
12 for Beaver Brook PUD.

13 Q Could you please summarize the report, that
14 is the purpose in writing it, the conclusions that you
15 reached and whatever you feel is relevant, briefly, in
16 terms of the methodology or sources you used to come to
17 your conclusions? A The conclusion
18 was that on-site, with, in the 790 odd acres, would not be
19 adequate to handle the estimated one m.g.d., but the
20 off-site, that is beyond the R.V.I, site, the off-site
21 water resources from the area would be more than adequate
22 to handle the anticipated water demand in the PUD. In
23 the process of doing that, an examination was made of
24 Clinton Township's diversions and its ability to furnish
25 some or all of the water.

1 In conclusion, to start out, in conclusion it was
2 my opinion that water supply should not be considered a
3 constraint in the development of the proposed PUD.

4 Q What alternate methods of water supply would
5 you see as meeting the needs of the PUD?

6 A The alternate supplies could come from a variety,
7 from a mix of sources. Some of the supply could come from
8 on-site ground water. A second supply source would be
9 the Town of Clinton system, which includes wells that
10 are within Clinton Township, since there is an existing
11 agreement between Clinton Township and the Town of Clinton
12 with regard to the development of water. As a third
13 possible source would be off-site surface water diversion,
14 such as the South Branch of the Raritan. In that context,
15 may I refer to a report which was not referred to earlier?

16 Q That you utilized to prepare this study?

17 A No, that was not included as a reference within
18 Addendum number 1, the Elamand Popoff report.

19 Q if you describe what it is?

20 A The Elam and Popoff report is a comprehensive, area-
21 wide water plan, a summary released September, 1974.

22 Elam and Popoff is an engineering firm in New Jersey.
23 In their summary to the Hunterdon County Board of Chosen
24 Freeholders, they mentioned very specifically as a recommen-
25 dation, may I quote?

1 Q Yes. A On page F-7,

2 "Both the Spruce Run and Round Valley Reservoirs are
3 located in Hunterdon County with the raw water at Round
4 Valley readily available to the County as a primary source
5 of supply.¹¹ There are other comments, and that was the
6 main comment within the report.

7 Q What is the significance of that to this

8 project? A That would mean

9 that the surface water resources of Hunterdon County,
10 including Spruce Run and Round Valley, would, in Elam and
11 Popoff's recommendation, be available to the County.

12 MR. STERNS: May I ask that this be marked
13 for identification? Since it has been referred to?
14 It has got the heading, Board of Chosen Freeholders,
15 Hunterdon County (Comprehensive Area-wide Water
16 Plan Summary), as indicated by Elam and Popoff.

17 Since it has been referred to we might as
18 well mark it.

19 MR. SUTTON: I have no objection to it being
20 marked, but I wonder if copies could be supplied
21 to us?

22 MR. STERNS: We will try to make them, cer-
23 tainly, between now and tomorrow morning.

24 Q Do you know, Mr. Hordon, what this is?

25 A It says the Board of Freeholders, Hunterdon County.

1 It was chartered by the Freeholder Board. This was a
2 study authorized by the Freeholders of Hunterdon County
3 with a contract to Elam and Popoff to make a survey of
4 the water resources of the County.

5 O'teter resource survey marked as Exhibit
6 P-108 for identification.)

7 Q Dr. Hordon, what you just referred to as
8 the Hunterdon County study, talks about the additional
9 resource of Spruce Run and Round Valley as being available
10 to the County. Are your conclusions about the adequacy
11 of water for the Goble, for the Round Valley PUD, based
12 on any considerations of the Spruce Run and Round Valley
13 Reservoir water? Is the source of water that is contem-
14 plated independent of that additional source?

15 A Actually the two off-site supplies would be either
16 the ground water within Clinton Township, the surface
17 water of the South Branch, or the reservoirs. In that
18 since, I was just referring to off-site ground water and
19 off-site surface water as being a potential source.

20 Q What I am getting at is that your opinion
21 that with those sources you don't even have to reach into
22 Round Valley or Spruce Run in order to meet the needs?

23 A For the magnitude of the PUD, the one m.g.d. could
24 be easily furnished from the available water within
25 Clinton Township. Given a set of conservative assumptions,

1 regarding the ground water availability within the Town-
2 ship, it would not be necessary to go to Spruce Run or
3 Round Valley, although it is there.

4 Q How, can you describe how the Town gets its
5 water now?

6 A The Town of
7 Clinton gets its water from a series of wells within
8 both the Town, physically located within the Town, and
9 also some wells within Clinton Toxmship.

10 They had an annual average diversion in calendar
11 year 1976 of 0.85 m.g.d., as contrasted to a diversion
12 rate granted by the Water Policy and Supply Council, for
13 a maximum of 1.85 m.g.d. during any month.

14 Looking at the diversion, both on an average annual
15 basis and on a maximum monthly basis, which would be
16 figures one and two within my addendum, number one indicates
17 that the average annual pumpage or the maximum monthly
18 pumpage for the Town of Clinton is substantially under the
19 diversion rate of 1.85. Indicating that water could be
20 furnished to the PUD, were that to come about.

21 THE COURT: What page are you referring to
22 in your report?

23 THE WITNESS: Figures one and two would be
24 the very last pages in the addendum, number one.
25 There are two graphs, as the very last pages.
That would be page 19 and page 20.

PENGAD CO., BAYONNE 07002 FORM 2046

1 **THE COURT: All right.**

2 **Q Now, Dr. Hordon, you testified, of course,**
3 **as to the adequacy of water.**

4 **Let's now speculate, hypothetically, that**
5 **in the event that at some point in time the population of**
6 **Clinton Township grew so that the five wells presently in**
7 **service did not have the capacity to serve the increased**
8 **population.**

9 I realize that this is hypothetical, but
10 from your point of view as an expert geologist and hydrolo-
11 gist, is there anything that would prevent the Township
12 from drilling additional wells, being constructed to serve
13 that increased population? A No, wells could
14 be drilled both on-site and off-site within the Township,
15 and still be well within a conservative ground water yield
16 for the Township. Therefore, an adequate supply could be
17 obtained.

18 **Q Dr. Hordon, is there anything further in**
19 **the April report addendum number one, water supply, in the**
20 **way of conclusion, that you would like to call attention to?**

21 A Yes, it would be very brief and simple. That is
22 the ground water yield for Clinton Township or estimated
23 to be in one report in 1967, 11 m.g.d. These have now
24 been downgraded by another estimate in what is referred to
25 as the Lord's report or Bulletin Number 74 of the Bureau

1 of Geology, which is referenced, the full title of which
2 is referenced in the report to be less.

3 In summary, the ground water yield is estimated to
4 be either 4.6 or 7.0 m.g.d. for the entire area, land
5 area on the Township, based on either a dry year or what
6 is called a normal year.

7 Q What does that tell us?

8 A That tells us that the diversion now in the Town-
9 ship is substantially less than either the 4.6 or the 7.0.
10 Therefore, that additional water would be available from
11 the Township.

12 Q Where is that found? A Page 16,
13 table 4, in addendum one.

14 The summary numbers are 4.6 m.g.d. or 7.0.

15 Q Does that complete the highlights or summar-
16 ies of this report, is there anything else you want to
17 emphasize? A One further thing

18 is that on the part of the Goble tract you have a series
19 of formations as part of the Kittatinny limestone. It
20 is subdivided into a number of different members of the
21 Kittatinny limestone formation, which would be very good
22 yielders. In fact, possible to furnish the entire supply
23 with three or four wells, that would have a capacity of
24 200 gallons per minute, just from the on-site.

25 However, in my report, I was using m.g.d. per square

1 mile estimates, that tend to be conservative.

2 Mother feature which could not be quantified,
3 but which should be indicated, is the existence of a fault
4 which goes through the site. The presence of faulting
5 would, of course, increase what is referred to as second-
6 dary porosity within the consolidated rock formations
7 which would have a very substantial effect. Which would
8 increase the yield very substantially.

9 - However, it would not be quantified within the
10 scope of time available.

11 Q Does that complete your analysis of that
12 report? A Yes.

13 Q Turning further, Dr. Hordon, I show you a
14 report that was entitled **Detailed Report and Outline**
15 **on Water Resources Issued Surrounding the Round Valley**
16 **Suit Against Clinton Township Zoning Board, by the South**
17 **Branch Water Association, Sean Reilly, Executive Director,**
18 **May 26, 1977.**

19 I ask you if, at my direction, you have
20 reviewed and analyzed that report?

21 A Yes, I have, but I have not submitted a written
22 report.

23 Q But you have analysed and reviewed this
24 since May 26? A Yes.

25 MR. STERNS: May we have this marked? This

PENGAD CO., BAYONE, 07002, FORM 2046

1 is the report that was furnished us, which I
2 assume that you will use in your case?

3 MR. SUTICN: Yes.

4 (Report by the South Branch Water Associa-
5 tion marked as Exhibit P-109 for identification.)

6 Q Mr. Hordon, referring to what is now
7 marked P-109, would you briefly, if you can, tell us
8 what are the major points raised by that report and
9 what is your evaluation of those points? What is your
10 critique, or how they refer to these issues?

11 A The major point raised in the Water Supply report
12 pertains to the philosophical assumption that only on-site
13 availability of water can be used in the determination of
14 water availability.

15 This, at the subdivision level, is a rather ex-
16 treme position. One that, I think, has not been adopted
17 by any court or by any regulatory body within any county
18 or any municipality, any State, to my knowledge, at the
19 subdivision level.

20 I would philosophically disagree with this pre-
21 sumption. It has been applied at the municipalit}^ level
22 in terms of what would be referred to asogenous or
23 exogenous supplies of water, or local versus regional at
24 the municipality level. Even that has run into some ques-
25 tion as to whether or not that is a viable concept, the

1 so-called water crop concept, which is extremely
2 interesting. That would mean that only the water available
3 within the municipality can be used to furnish water. A
4 court case in Florida, the Boca Raton case, September, 19716,
5 indicated that Boca Raton attempted to apply this water
6 crop theory. The appropriate court in Florida did not
7 allow that, feeling that off-site, that is off the munici-
8 pality, could come in to furnish water to the municipality].

9 To do so at the subdivision level, I think would
10 be a little bit too extreme, in my judgment. It would
11 mean the end of substantial clusters of towns and cities.

12 The notion of saving land within the watershed
13 for the purposes of storage is, I think, a viable one, and
14 it is a necessary one. But not to be applied specifically
15 at the subdivision level.

16 The result would be, if you carry it through logi-
17 cally, that the area would have, depending on the particu-
18 lar geological formation, one dwelling unit per one acre,
19 or one dwelling per two acres. In some cases, one per
20 three or even one per four acres, you would have a prolif[^]r-
21 ation of small, individual domestic wells, less than six
22 inches in diameter. That would make it more difficult,
23 probably to control the resources, the ground water
24 resources in both quantity and quality.

25 Indeed, one advantage of a large diameter public well

1 is the fact that the irrespective authority or water
2 purveyor, *cap.* drill deeper and can exercise greater caution
3 by drilling deeper. You are then probably getting into
4 better quality water rather than the shallower depths
5 of a smaller domestic well. So the end result, were you
6 to project this, would mean no more clusters, because it
7 would take one, two, three, four acres to support one
8 dwelling unit or for one commercial establishment would
9 take twenty acres or more. That would lead to a sprawl
10 over the landscape, that would be a consequence.

11 Q As I understand it, as I understand this
12 report, let's call it the Reilly report at the moment,
13 indicates that water, to supply a particular development,
14 should be drawn only from the land of that development,
15 is that it?

16 A As I understand
17 it, should be drawn - as I understand the Reilly report,
18 the water should be drawn only from the site to support
19 that development.

20 Q You have discussed that detail. I only
21 want to ask you one more question with regard to it. To
22 your knowledge, is there any scientific or expert support
23 for that type of theory, even if it is a minority support?
24 Is there anybody, that you know, that would espouse that
25 theory in the scientific community, I am talking now
about expertise in water and hydrology, etcetera.

1 A The scale is important here. I think the scale is
2 important. At the subdivision level, no. At the municipality
3 level, it' is beginning to, although none immediately, no.
4 At the County level there has been, yes. Cape May County,
5 in its comprehensive plan which was adopted by the Board
6 of Freeholders of Cape Hay County in 1977. They did adopt
7 that position, but you are talking -- that is only water
8 generated within the County and can be used to furnish
9 water to the County. This is a much larger land area,
10 though, than a subdivision of 790 acres. You are talking
11 about an entire county in the coastal plain, which would
12 have much greater water resources. Therefore, I have not
13 seen it adopted at the subdivision level.

14 Q Just even taking the municipal level, in your
15 opinion, if you have an opinion on this, could the concept
16 of water supply limited even to a municipality, be adopted
17 in New Jersey?

18 A I don't know of a
19 case where it has been adopted by a municipality, no. I
20 would say that. I don't know, although, to be fair, certain
21 municipalities considering this as a kind of constraint on
22 their development, Xo be in accord with the ground water
23 resources, of that particular municipality. Or let's say,
24 the ground and surface water resources of that municipality,
25 were the municipality large enough that it would perhaps
be available, yes, it has been considered. I think I would

1 prefer to use the term "being considered."¹¹

2 Q , ! Mr. Hordon, is there anything else, in what
3 we have referred to as, or what is P-109, the Reilly
4 report, is there anything else that you would want to comment
5 on, any other points that it makes, or is that the major
6 point? A Just the numbers
7 that are used. I would want to reiterate that the gallon-
8 age estimates that were applied to the site of 181 gallons
9 per day were predicated on geologic formation information
10 at the scale of one inch to 4,000 feet. That means that
11 is a medium scale map. Therefore, that is a natural —
12 I itfould think that the estimate would be on the conservative
13 side. Indeed, the drought year values were used. It is
14 my understanding from conversations with Drs. Kimball and
15 Widmer, the State geologists, that the State in its probable
16 release of Bulletin Ko. 74, the so-called Lord's report,
17 with its ground water yields, will probably drop the drought
18 year estimate and use the normal year for planning purposes.
19 Which would indicate then that the yields from the site
20 would be that much greater.

21 Therefore, lhe 181,000 gallons to be expected from
22 the site is on the conservative side.

23 THE COURT: Even if you did that, would you
24 be able to support it with what is on the site?

25 THE WITNESS: That's right.

1 THE COURT: You would.

2 Q, Even adopting the number 181,000, given the
3 analysis you have done, would you still be able to support
4 the development? A No, the 181,000
5 would not be adequate to serve the estimated population on
6 the site, it would require off-site.

7 THE COURT: I want to go one more step. If
8 you are using the drought year, or the normal
9 year --

10 THE WITNESS: In either case.

11 THE COURT: The normal year, which would be
12 what you would call the average, it would be sub-
13 stantially more, that would go for the Township, it
14 would be little less than double?

15 THE WITNESS: Page 5, paragraph 2 of the
16 estimate is 181,000 gallons per day to 275,000.
17 That would furnish about.25% of the estimated
18 population during an average year. That would,
19 of course, not be sufficient.

20 Q Dr. Hordon, your testimony is that even with
21 the 275, that you have projected, that you would have no
22 trouble with off-site sources, meeting an admitted need
23 without any jeopardy to the Town's water supply. Now, I
24 am asking you to take the 181, which is the much narrower
25 estimate, and ask you if, using the figure of 181 generated

1 on-site, plus the off-site resources that you have already
2 talked to, would there be adequate water supply for this
3 Round Valley development without jeopardizing the water
4 supply of the community? . A Yes. Even using
5 the 181,000 gallons, and the availability of the Town of
6 Clinton and Clinton Township, there would be enough
7 water off-site.

8 If I may clarify off-site, I am interpreting to
9 include only now the Town of Clinton and Clinton Toxsnship.
10 I am not referring to substantial off-site such as the
11 Delaware River.

12 Q You are not referring to the resources of
13 the Round Valle)*- Reservoir or Spruce Run?

14 A That opens an even larger amount. I am referring
15 just to Clinton Township and the Town of Clinton.

16 THE COURT: You couldn't draw any water from
17 the Delaware River, that is United States Supreme
18 Court jurisdiction since 1791?

19 THE WITNESS: With regard to water from the
20 Delaware, however, there is an unallocated portion
21 from the Delaware and New Jersey is entitled to
22 100 m.g.d. It could only obtain now by virtue
23 of the hydrolic efficiency of the Delaware and
24 Raritan Canal, about 75m.g.d. So there is an
25 additional 25 m.g.d. that could be obtained from

1 the Delaware and still fall within the purview of
2 " the Supreme Court decision.

3 THE COURT: With the United States Supreme
4 Court's permission, and there has been a controversy
5 between four States since 1791?

6 THE WITNESS: Very definitely, it would re-
7 quire authorization.

8 MR. STERNS: I have no further questions,
9 Your Honor.

10 MR. SUTTON: Your Honor indicated -- is this
11 an appropriate place?

12 THE COURT: Fine, I am prepared to do it if
13 you are not prepared to go on, we can adjourn to
14 tomorrow morning. It could be a convenient stopping
15 point. In other words, you are not ready?

16 MR. CAIN: I can do some, there is a lot of
17 information covered, Your Honor.

18 THE COURT: I will give you time.

19 MR. CAIN: There is one report that we have
20 to see.

21 MR. STERNS: I will be glad to show you any
22 report.

23 **CROSS-EXAMINATION BY MR. CAIN:**

24 Q Whichever figure you take, the dry year or
25 normal year, whether you take 181,000 or you take 275, the

1 most the site can produce is 25%?

2 A The most the site can produce is 25%.

3 THE COURT: To the extent that it is a 75%
4 type draw?

5 THE WITNESS: Yes, and partly no.

6 THE COURT: What is the no, partly?

7 THE WITNESS: Partly no, that is the unknown
8 which I regret I cannot quantify within the time
9 frame available. That is the limestone formations.
10 The fault that goes through that area might very
11 substantially double that estimate and reduce the
12 amount that would be necessary from off-site.

13 Since those numbers were not available, I
14 felt it best to stick to a very conservative esti-
15 mate, but just hold open the possibility that there
16 is a geologic formation that has been reported in
17 the county, to be capable of yielding substantially
18 more.

19 THE COURT: Is it true that there is an
20 underground river that runs across New Jersey from
21 Staten Island to the Delaware?

22 THE WITNESS: No. The only time that you
23 can have underground streams would be in limestone
24 formations where you have holes that could become
25 large enough where the water would be between the

PENGAD CO., BAYONNE, N.J. 07002 - FORM 2046

1 grains of the rock and that would be in actual
2 faults within the consolidated formation.

3 Only in certain kinds of limestone would
4 it be possible to have solution holes expand
5 enough to literally — to have a stream.

6 In Howe Caverns you can get a boat with
7 people on the underground stream, because there
8 is a very cavernous type of limestone.

9 In New York State and in other places such
10 as Carlsbad, there are other types of limestone
11 where this fault does occur. It runs in a north-
12 eastern direction, then runs over to the Delaware.

13 The fault that I am referring to now goes --
14 I don't have it pinpointed on the map, but it goes
15 through, very approximately, along the Route 31
16 area through the site. That is, of course, along
17 with the limestone, wherever you have one of these
18 faults which go back to the faulting in that part
19 of the State, the actual process of faulting.
20 These are ancient faults, presumably, not seismo-
21 logically active now. That would mean that the
22 rocks are fractured very, very substantially,
23 allowing that much more precipitation to infiltrate
24 through the ground and literally be stored in the
25 formation.

1 The magnitude of that would require a
2 detailed, on-site investigation.

3 The fault is not a continuous fault, it is
4 a local fault. That fault has developed over
5 several hundred million years.

6 You were speaking about one coming over
7 Staten Island, over the Delaware River. That would
8 separate the Town of Hopewell and it could be
9 related to that fault, but it would not go over to
10 Staten Island, that is in a different physiographic
11 province and would not be connected.

12 The only part that would be connected, you
13 might say chronologically, would be the diabase
14 ring dike and Round Valley would be of the same
15 vintage, such as the Palisades, for example, and
16 the Sourlands, the Watchungs. But that would be
17 chronologically rather than structurally connected
18 by the same fault. These would be very relatively
19 smaller ones.

20 It is characteristic of the geology within
21 this area. These have rather small features, where
22 as out west, the faults are structurally hundreds
23 of miles.

24 THE COURT: In Rosemont, many years ago,
25 we had a very serious watercourse and we had to

local wells and d... one large public
 e... the wells there, I don't
 are familiar or not, the dye came
 are River?

SS: Where is Rosemont?

It is in Hunterdon Count
 north Sergeantsville. It is west
 toward the Delaware River.

e dye would be put in the well
 ne out in the Delaware River
 e was a fault and the testimony
 fered at that time was that

Jersey.

There are many faults across
 not familiar enough with that
 mapo fault in Bergen County.

ies are related ^
 d, though,
 t in the Triassic basin during
 ogic time.

you.

I make a comment, from my
 to just some information

Kasabach's report, that
 red to for the geology

t the yield from the

1 Kittatunny limestone, industrial wells implying
2 larger than six inches in diameter, has a medium
3 yield for all wells within Hunterdon County of
4 250 gallons per minute, and an average yield of
5 414. It will take only about 700 gallons per
6 minute to furnish one m.g.d.

7 It implies that three average wells could
8 furnish the site. This was not used, that is that
9 statement was not made in the report because it
10 would require on-site investigation.

11 But the fact is that the limestone is capable
12 of substantial yield and a fact that I think should
13 be recorded.

14 THE COURT: You are familiar with I-fr. Rahen-
15 kamp's testimony, I assume, where he indicated that
16 there would be no building over the so-called
17 recharge limestone?

18 THE WITNESS: Yes, that area would be left
19 as much as possible for detention basins, open
20 basin recharge.

21 THE COURT: You already considered that in
22 your opinion, would, you drill the wells there?

23 THE WITNESS: The wells -- the best wells
24 would be dug, certainly, on the limestone, very
25 definitely.

1 THE COURT: Wouldn't you destroy the recharge
2 area?

3 THE WITNESS: Not from digging, no, it
4 wouldn't destroy the discharge,

5 THE COURT: Wouldn't it lessen the supply,
6 however, eventually, downstream?

7 THE WITNESS: Only about 25% of the water
8 that would be consumed would be either evapotrans-
9 pired from leakage which would go back to the ground.
10 75%, and these are standard estimates that are used
11 in the standard test, 75% of the water would wind up
12 as sewage.

13 This sewage would be treated and then released
14 immediately to the basin. So there would not be a
15 loss.

16 The water would be available immediately for
17 reuse. It could even be pumped into Round Valley
18 or released to the confluence.

19 MR. SUTTON: Your Honor, may I just ask, if
20 you know, I wonder whether you have another witness
21 tomorrow whether this will take up the cross-examina-
22 tion?

23 THE COURT: I wouldn't count on another wit-
24 ness tomorrow, let's finish one witness at a time.

25 (Whereupon, the Court stands in recess.)

C E R T I F I C A T E

I, CHARLES R. SENDERS, Certified Short-hand Reporter and Notary Public of New Jersey, do hereby certify that the foregoing is a true and accurate transcript of the proceedings as taken stenographically by me at the time, place and on the date hereinbefore set forth.



CHARLES R. SENDERS, C.'S.R.,
Official Court Reporter

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25