

ML - Morris County Fair Housing Council

Feb 8, 1980

v. Boonton

Transcript of Deposition of Arthur Johnson

pg. 109

ML000933S

page 102

ML000933G

1	MORRIS COUNTY FAIR HOUSING COUNCIL,)	
2)	
3	Plaintiff,)	
4)	
5	vs.)	CIVIL ACTION
6)	
7	TOWNSHIP OF BOONTON, et als.,)	Deposition of:
8)	ARTHUR JOHNSON
9	Defendants.)	
10	-----	

7 T R A N S C R I P T of the stenographic
8 notes of the proceedings in the above-entitled matter,
9 taken by and before JACQUELINE WASKO, a Certified
10 Shorthand Reporter and Notary Public of the State of
11 New Jersey, held at the DEPARTMENT OF THE PUBLIC
12 ADVOCATE, 428 East State Street, Trenton, New Jersey,
13 on Friday, February 8, 1980, commencing at approximately
14 ten o'clock in the forenoon.

15 A p p e a r a n c e s:

16 DEPARTMENT OF THE PUBLIC ADVOCATE,
17 By: KENNETH E. MEISER, ESQ.,
Attorney for the Plaintiff.

18 MESSRS. SACHAR, BERNSTEIN, ROTBERG, SIKORA
19 & MONGELLO,
20 By: DANIEL S. BERNSTEIN, ESQ.,
Attorneys for the Townships of Chatham and
Mendham.

21 * * * * *

22
23 SIMON CITTONE ASSOCIATES, INC.
1697 Oak Tree Road
24 Edison, N. J. 08817
(201) 548-3050

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A p p e a r a n c e s : (continued)

MESSRS. WILEY, MALEHORN & SIROTA,
By: JAMES P. WYSE, ESQ.,
Attorneys for the Township of Rockaway.

MESSRS. YOUNG, DORSEY & FISHER,
By: PETER LEE, ESQ.,
Attorneys for the Township of Hanover.

I N D E X T O W I T N E S S E S

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

	<u>Direct</u>	<u>Cross</u>
ARTHUR JOHNSON		
By Mr. Bernstein	3	
By Mr. Lee		38
By Mr. Wyse		90

1 A R T H U R J O H N S O N, sworn.

2 D I R E C T E X A M I N A T I O N B Y M R. B E R N S T E I N:

3 Q Mr. Johnson, you're testifying on behalf
4 of the Public Advocate as an environmentalist, is that
5 correct?

6 A I don't think so, no.

7 Q Would you tell us the area of your expertise?

8 A Soils and the relationship between soils and
9 water such as runoff, runoff chemistry.

10 I am also, I think, an expert in geology having
11 had two degrees in geology, and can speak on
12 relationships between geology and soils, geology and
13 water, geology and water quality.

14 Q And what do you understand that you will
15 be doing for the Public Advocate?

16 A I'm going to review all the documents that are
17 prepared by other consultants related to the individual
18 townships, and to try to assess whether or not I think
19 those are accurate given an understanding of the
20 landscape in Morris County.

21 Q I assume you haven't done any of that to
22 date?

23 A That's essentially correct. I've briefly reviewed
24 one report which I haven't a recollection of who wrote

25

1 with soil properties.

2 MR. MEISER: For the record, the only
3 one he looked at was the report on soil
4 borings for East Hanover.

5 MR. LEE: East Hanover or Hanover?

6 MR. MEISER: Hanover Township.

7 And the purpose of doing that was to
8 suggest questions that Keith Orsdorff might
9 add at the deposition. That was the only
10 document that he looked at.

11 MR. BERNSTEIN: I would ask, Mr. Meiser,
12 the same request that I had with Mr. Sinton
13 that if I could depose Mr. Johnson on a
14 second occasion, hopefully on the 22nd when
15 I'll be seeing Mr. Sinton, when he would be
16 more familiar with the Mendham Township
17 documents.

18 MR. MEISER: The 22nd is a Friday and
19 he has classes on a Friday.

20 MR. BERNSTEIN: I'll be happy to come
21 back at a mutually convenient time.

22 Off the record.

23 (Discussion off the record.)

24 MR. BERNSTEIN: What we agreed off
25 the record was to tentatively schedule

1 Mr. Johnson for 10:00 for subsequent
2 depositions when he's reviewed the
3 Mendham Township and Chatham Township
4 reports so that he can speak with some
5 authority as to what he's seen.

6 MR. MEISER: I'm not sure that he
7 will have reviewed those reports by that
8 time.

9 MR. BERNSTEIN: What I would request
10 is if I could have a date subsequent to
11 today when he has looked at it so I'll know
12 his thinking as to Mendham Township. I
13 have no problem with setting up a date after
14 the 26th. In fact, I told Carl Bisgaler if
15 he wanted to depose Al Gershen after the
16 26th, it's not sacrosanct as far as I'm
17 concerned.

18 Q Mr. Johnson, aside from reviewing the reports
19 that will be submitted to the Public Advocate by the
20 defense counsel, are there any other documents that
21 you will be reviewing for this case?

22 A Yes. The thing I would like to do would
23 certainly be to read the Soil Survey and to get
24 a sense of the landscape in Morris County from a soil's

1 and those patterns are recognizable and distinctive of
2 certain kinds of subsurface conditions and so on.
3 That would be one document that I would certainly need
4 to make use of.

5 Q When you say "the Soil Survey," you're
6 speaking of the Soil Conservation Service documentation?

7 A Yes, it's called Soil Survey for Morris County,
8 U. S. Department of Agriculture, Soil Conservation
9 Service. They're white with a photograph on the cover
10 and so on.

11 In addition to Soil Survey, any geologic reports
12 that are pertinent, and there are some, I understand,
13 that have been prepared by Rutgers.

14 There is also in our geology library a fairly
15 detailed assortment of publications done on various
16 areas in the northern U. S. And through a system of
17 indexing and so on, I can find pertinent reports for
18 certain areas.

19 And I would say that those two documents would
20 be important as well as any 208 Studies that had been
21 prepared by the area. I'm not sure if it's necessary
22 or important to digest all of those which tend to be
23 rather substantial in size, but certainly pertinent
24 portions of that that relate to streams in question
or water bodies in question.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

MR. MEISER: For the record, he's obviously given those that he's aware of now. This is not meant to preclude him using other sources if they come to his attention.

Q Do you know the names of any of the soils studies that were prepared by Rutgers University or the authors?

A No, I don't.

Q You just have heard of that through studies that you may wish to use?

A They were geologic studies, I believe, subsurface geology. That's my understanding of what information they contained.

Q You don't know when they were prepared or by whom or which department?

A No.

Q Sir, have you studied and been aware of the Lord's Report?

A No.

Q You're not aware of the Lord's Report?

A No.

Q Would you consider yourself an expert on the cost of constructing sanitary sewer facilities and package plant facilities?

1 A On the cost of, no.

2 Q Would you consider yourself an expert on
3 any aspects of sanitary sewer or package plant
4 facilities?

5 A I think I can speak on the level of treatment
6 that can be obtained under conditions which would be
7 specified to me by a sanitary engineer.

8 Q What does the term "headwaters" mean to
9 you?

10 A First of all to me it's a very vague term. It
11 simply means -- it refers to the area, or the small
12 streams in an area which feed a larger river system,
13 but it's a vague term. I think it's not readily
14 definable in scientific language.

15 Q As a soils expert, do you feel that any
16 special precautions should be taken when one is
17 constructing structures in close proximity to headwater
18 regions?

19 MR. MEISER: Do you have any specific
20 concern for that?

21 MR. BERNSTEIN: Yes, but since the
22 gentleman hasn't studied the specific
23 rivers and streams in Morris County in
24 general, Mendham Township in particular,
25 asking specifics really wouldn't lead

1 anywhere. So I think I'm better off with
2 the general.

3 MR. MEISER: You're concerned about
4 the soils on the headwaters, is that it?

5 MR. BERNSTEIN: I'm concerned with
6 construction in close proximity to
7 headwaters since he's also an expert in
8 water runoff.

9 MR. MEISER: So your concern is with
10 respect to water runoff?

11 MR. BERNSTEIN: All environmental
12 considerations.

13 A That's a very large question, but I'll try to
14 deal with it in parts.

15 I don't like the word "special" in your question
16 when you referred to any special considerations. I
17 think that considerations for environmental quality and
18 control of degradation of streams should be applied
19 wherever there are streams which constitute a useful
20 resource and which are governed by legislation and so
21 on. So I would not say that there are special things
22 that one should do. I would say that there are a
23 number of good practices which can be adopted and, I
24 believe, should be adopted.

25 Q Without using the word "special," -- I've

1 no problem with deleting that from my question -- what
2 do you consider to be good practices when one is
3 constructing in the vicinity of headwaters?

4 A I think that control of runoffs, surface runoffs
5 is certainly one aspect that is very important. If one
6 can control surface runoffs, I also think that erosion
7 of soils can be controlled concomitantly. I would
8 say that's another aspect that people should -- or
9 designers and people who construct these things should
10 attend to.

11 I also believe that there should be the number
12 of individuals. The type of sewerage treatment that is
13 selected ought to be compatible with the size of the
14 water body into which any effluent is discharged.

15 Q Would you explain that to me?

16 A I wouldn't want to discharge ten million gallons
17 per day into a stream that was four feet wide and a foot
18 deep.

19 Q Is there any formula that you can give us
20 or is it a more subjective type of test as to the
21 amount of additional water that you'd be comfortable
22 with discharging into a small stream?

23 A Well, I can rely on rules of thumb that have been
24 developed for Pennsylvania streams in an environment
25 which is very similar to Morris County.

1 Q Could you give those?

2 A Yes, that would be the seven day, ten year low
3 flow should not be augmented by more than 20 percent.

4 Q Would you explain that? I think I know
5 what it means.

6 A The seven day dry period that occurs once every
7 ten years should not be augmented dramatically.

8 Q By more than 20 percent?

9 A I would like to strike that because I don't have
10 an absolutely firm feeling for that, but certainly there
11 is wisdom on this which I can find out about.

12 Q Can you give us for the next deposition the
13 percentage increase in that rule of thumb?

14 A Yes.

15 Q Given an area where one does not have
16 sanitary sewers and where one does not have public
17 water, is there a relationship between the environment
18 and the maximum number of dwelling units which can be
19 constructed?

20 A I'd like to try to rephrase your question. I
21 think I know what you mean, but I don't think I can
22 answer it the way you ask it. You mean a density
23 limitation rather than just a total number?

24 Q Yes.

25 A Yes, but dependent on the method of sewerage

1 treatment selected.

2 Q Well, my first given is let's suppose we
3 have no public sanitary sewer facilities, we have no
4 public water supplies so that --

5 A Do you mean by "public" a municipality or do you
6 mean --

7 Q Any public sanitary sewers. And the question
8 is given this set of facts, and assuming that there will
9 be no sanitary sewer constructed, are there any limitation
10 as to the density of development which could occur?

11 A Under certain conditions yes. Under other
12 conditions, I would say -- well, you've asked me a
13 question that is difficult to answer. I would like
14 to define on what basis should we consider a limitation.
15 A limitation because of water quantity, because of
16 water quality, a limitation because of erosion, a
17 limitation because of slopes?

18 Q And because of effluent disposal. All of
19 the above.

20 A All of the above and effluent disposal?

21 Q Right.

22 A We better take it one at a time.

23 Q Fine.

24 A Effluent disposal, I think that under certain
25 conditions using certain types of effluent disposal

1 that there is a rational and reasonable limit to
2 density.

3 Q And could you explain to me what that
4 relationship might be?

5 A If septic tanks are utilized as the sole means
6 of dispersing sewerage effluent into the ground, then
7 I would say that a gross density of one DU per acre
8 is what I would recommend as a maximum. Now, what I
9 mean by gross density is that the total number of
10 dwelling units divided by the size of the drainage
11 basin above the point into which the effluent might
12 be expected to flow.

13 Q And you mean by gross density the aggregate
14 area?

15 A The total area of the drainage basin above the
16 point. When it's determined where in the stream
17 particular effluent might eventually run as it's
18 treated, as it flows through the soil, the drainage
19 basin above that particular area can be calculated,
20 and based on that total drainage area, then I think
21 an upper density of one DU per acre is what I would
22 recommend as a maximum if sewerage treatment is solely
23 by septic tanks.

24 Q And would there be instances where you
25 would recommend a lower density than one dwelling

1 unit per acre where all the dwelling units would be
2 on septic systems?

3 A I would recommend?

4 Q A density of less than one unit per acre
5 because of soil conditions.

6 A Soil conditions and effluent relationship?

7 Q Yes.

8 A I can't think of any at the present time. I won't
9 preclude the possibility that it might be wise, but
10 I can't think of a situation.

11 Q Let me rephrase the question.

12 You would indicate that where septic
13 systems were to be used, the maximum density that you
14 would recommend would be one dwelling unit per acre
15 on a gross basis, correct?

16 A That's not what I said. If septic tanks are the
17 sole means of dispersing effluent, not if they are to
18 be used.

19 Q Let's assume that septic tanks are the sole
20 means of septic disposal, effluent disposal. Given
21 that situation, was your testimony that the maximum
22 density which you would recommend would be one dwelling
23 unit per acre on a gross basis?

24 A On a gross basis calculated on the area of the
25 watershed above the point in the stream into which

1 this effluent would be expected to flow.

2 Q Are there any situations where given
3 dwelling units being constructed where the sole means
4 of effluent disposal would be septic systems where
5 you would recommend a density of less than one unit
6 per acre?

7 A I cannot think of any at the present time.

8 Q And would this one unit per acre hold true
9 regardless of the type of soil and regardless of the
10 type of bedrock which would underline the area that
11 you were examining?

12 A No, it wouldn't. There certainly could be a
13 condition where certain of the areas where the soils
14 were exceedingly thin, where people might wish to
15 install septic tanks which are of marginal effectiveness,
16 and I think that it might be possible then to recommend
17 a lower density. It's not likely, however, because if
18 a septic tank will function properly, then the soil
19 probably is deep enough in order to treat the effluent
20 substantially so that I would again retreat to the one
21 DU per acre maximum.

22 Q Well, isn't it a fact that on the Soil
23 surveys that soil is categorized in some instances
24 as having slight, moderate, and severe limitations
25 with respect to septic effluent disposal?

1 A I didn't understand what your question was asking.

2 Q You're familiar with the Soil Surveys which
3 are prepared by the U. S. Department of Agriculture?

4 A Yes.

5 Q In those Soil Surveys they make various
6 classifications of soil for different purposes.

7 A Right.

8 Q They have one classification with regards
9 to the limitations of soil for effluent disposal
10 purposes?

11 A Yes.

12 Q And I believe the three categories that
13 are used are slight, moderate, and severe?

14 A That's correct.

15 Q What do those categories mean?

16 A They mean that under conditions where they list
17 the limitations as severe, that septic tanks probably
18 will not function properly and renovate effluent in
19 the way that they should for a variety of reasons.
20 There's one specific reason why they might rate it to
21 be severe. That's an example.

22 Moderate means that there are certain conditions
23 under certain of these soils that probably one should
24 exercise care in selecting a particular area or in the
25 design of the system for, again, one of a variety of

1 reasons.

2 Slight limitations generally mean that there are --
3 to me -- that there are no particular problems, that so
4 long as the system is designed carefully and within
5 standard guidelines, that the system should work
6 reasonably well.

7 Q Is it your testimony that regardless of
8 whether the soils have slight, moderate, or severe
9 limitations with regard to a septic disposal, that in
10 each of these situations one would generally require
11 an acre per dwelling unit?

12 A I would not recommend septic tanks in the areas
13 which are designated as being severely limited. So
14 that I would say that the appropriate density for
15 dwellings on soils which have severe limitations for
16 septic tanks is zero. You don't build houses with
17 septic tanks on severely restricted soils.

18 Q How about with regard to moderate or
19 slight limitations?

20 A I would say that it's okay to install septic
21 tanks on soils which have slight limitations, and
22 probably okay in most instances with careful on-site
23 inspection, careful instruction to install septic
24 tanks in moderate soils.

25 Q But you would agree that there are certain

1 soils where one would require more than one acre for
2 the construction of a home on a septic system where
3 you had certain limitations with regards to the soil?

4 A That's correct.

5 Q Could you tell us some of the soil types
6 which you've run into in your experience as a soils
7 expert where a larger unit of land than an acre is
8 required for each dwelling unit?

9 A On the basis of the treatment of effluent?

10 Q Yes, sir.

11 A So as to meet appropriate water quality standards,
12 shall we say?

13 Q All right.

14 A Soils and selected soils in the Pine Barrens
15 require large amounts of land because of the fact
16 that they are extremely sandy. They are virtually
17 inert chemically. The only means of treating effluent
18 in those soils is by dilution. Therefore -- and that's
19 dilution from the precipitation which then means that
20 a sizable area is probably necessary for a house
21 operating with a septic tank.

22 Q Would there be any limitations with regards
23 to effluent disposal through septic systems where one
24 was in the area of high water tables?

25 A Depending on the height of the water table, the

1 answer is yes.

2 Q So let's assume that one has an acre of
3 ground where one wishes to construct a single dwelling
4 unit on a septic system, but there is a water table of
5 two feet. Would this constitute in your opinion a
6 limitation to the construction of the dwelling unit
7 and the septic system?

8 A Are you referring to a permanent water table as
9 two feet or a seasonable high water table?

10 Q Seasonable.

11 A I would say that this is a limitation.

12 Q Why would that be a limitation, sir?

13 A When the leverage fields in the septic tank
14 are submerged, and where the ground is rated within
15 that zone, then the normal aerobic processes do not
16 function very well.

17 Q Could one construct a septic system for
18 a single dwelling unit on ground that has a seasonable
19 high water table of two feet?

20 A Can one construct a septic system? Do you mean
21 a septic tank system?

22 Q That will probably function.

23 A It has certainly been done. We call them
24 turkey wounds.

25 Q Would it be fair to say that you would need

1 a mound if the depth of the water table was four feet
2 or less? Is there any cut-off point where you feel
3 that a mound is required?

4 A I don't have an opinion on that, not in the way
5 the question was phrased.

6 Q Well, if you have a depth to water table
7 of three feet or less, could one install a properly
8 functioning septic system without a mound?

9 A I wouldn't want to answer that because I think
10 it might be important to know in that particular
11 locality is there a rapidly and greatly fluctuating
12 water table or is there a water table which is more
13 or less permanently between three and four feet.
14 I would say that a mound at three feet -- I could
15 conceive of septic tanks being constructed if it
16 would be demonstrated that the water is really only
17 at that level very infrequently, perhaps not in all
18 the years. I think that more site specific information
19 is needed, but I'm more comfortable at a depth of
20 four feet or greater or seasonal high water table.

21 Q When you say you're more comfortable, did
22 you mean when constructing the septic systems, you
23 prefer to have the depth to water table of four feet
24 or greater?

25 A That's correct.

1 Q Now, if the depth to water table is four
2 feet or less, as a general rule would you recommend
3 what you referred to as a turkey mound without looking
4 at other attributes of the soil?

5 A I'm not sure that I would recommend turkey mounds.
6 I think that if the water table is at a depth of two
7 or three feet, then the Soil Survey will probably have
8 identified that and considered that to be either a
9 moderate or moderate to severe or perhaps severe
10 limitation, and I would say on the basis of that, that
11 my recommendation is that septic tanks probably are
12 not appropriate, but I'm not sure. I would just simply
13 recommend turkey mounds where you can't put in a
14 septic tank because you're afraid of seasonal high
15 water table.

16 Q Isn't the so-called turkey mound one of the
17 alternate methods of disposal which has been permitted
18 by chapter 199 of the Laws of New Jersey?

19 A I don't have any idea of what those laws say.

20 Q So you're not familiar with the restrictions
21 which New Jersey places on construction of septic
22 systems, the aerobic system, the spray systems, the
23 lagoons, and the other types of alternative disposal
24 systems?

25 A I'm not specifically acquainted with New Jersey

1 laws and what they say about those systems.

2 Q Are there any disadvantages to the mounds
3 which you mentioned as a possible method of overcoming
4 a high depth to water table problems?

5 A Inherent problems or problems that can arise?

6 Q Inherent problems, and then problems that
7 can arise.

8 A I don't necessarily see any inherent problems
9 with them.

10 Q Are there problems that can arise with
11 these systems?

12 A If they're poorly constructed, problems can
13 arise with any system.

14 Q Do you have any idea of the cost of these
15 mounds?

16 A No, I don't.

17 Q How many of those mounds have you personally
18 seen in operation?

19 A I lived with one for three years.

20 Q Other than that one, how many of the mounds
21 are you personally familiar with?

22 A Now, have I seen and stepped on or have I
23 sampled?

24 Q Yes.

25 A So I would say several, but I don't know whether

1 it's ten or twenty or fifteen.

2 Q And how many are you familiar with, that
3 is, that you're aware were constructed?

4 A That I am aware were constructed, watched being
5 constructed?

6 Q You didn't have to watch. That you were
7 aware of.

8 A I don't understand what you mean.

9 Q If I were to ask you to mention all the
10 properties where you either knew or heard that a
11 mound was constructed without physically having seen
12 it, how many would that entail?

13 A I have no idea.

14 Q Would it be a fair statement that of all
15 the septic systems constructed, only a very small
16 percentage, less than five percent, is constructed on
17 mounds?

18 A My sense is that would be correct of all the
19 ones that have been constructed.

20 Q Why would there be such a small percentage
21 on mounds?

22 A I would guess, and I'm simply guessing, that
23 prudent planning precludes the use of septic tanks
24 on land where the water table is questionable and
25 where people might select this as an alternative.

1 Q So that you're saying that the mounds can't
2 be used in every area where there are high water tables?

3 A I decline to answer that question. I don't really
4 feel that you're asking a very general question about
5 a never or always or sometimes. I mean sometimes, but
6 I mean the situation, the nature of the geology, the
7 underlying geology, all those factors need to be taken
8 into consideration before one could answer that question.
9 I wouldn't want to give a blanket answer based -- I
10 would not give a specific answer based on the lack of
11 site-specific in this.

12 Q In determining whether or not a mound was
13 to be used, could you tell me what you would consider
14 to be essential information that you would have to
15 have before you could make a determination?

16 A The nature of the soils, the cation-exchange
17 capacity of soils, the depth of seasonal high water
18 tables, the depth of the soils, the nature of the
19 underlying geology particularly with respect to its
20 permeability, it's hydraulic conductivity. I'd like
21 to know about the degree of fluctuation of the water
22 table. I'd like to know into what type of area did
23 this particular -- would this effluent drain. I'd
24 like to know what the down slope essentially
25 considerations would be. I'd like to know the context

1 in which it was being used such that is this a single
2 family dwelling on ten acres or five acres? Is this
3 a high density development in the center of a city?

4 I would say that there are at least eight, and
5 probably more, general environmental factors that I
6 would consider before recommending that one of these
7 be built or recommend that it not be built.

8 Q In order to determine all the factors that
9 you just enumerated, you would have to make an on-site
10 inspection of the site in question or could you do it
11 from your living room by looking at various documents?

12 A It depends on how complete and how accurate the
13 documents happen to be.

14 Q I'm asking in your opinion as the expert
15 on soils, could one make a proper determination for a
16 specific site without visiting the site, merely by
17 reviewing pertinent documents?

18 A For planning purposes or design purposes?

19 Q For planning purposes.

20 A I believe that the documents would suffice for
21 planning purposes, but not for design purposes.

22 Q For planning purposes, what might those
23 documents be which you feel would be necessary in
24 order to determine if mounds could be used?

25

1 A The Soil Survey would be the main document that
2 I would rely on first of all. Secondly, topography;
3 thirdly, land use; fourth, the best geologic
4 information that is available which may be simply
5 what the Soil Survey says the substratum happens to
6 be. I'd like to look at what a land use map -- I
7 guess I probably mentioned that. I would like to
8 know surrounding population densities, receiving
9 waters. I would like to know whatever legal
10 restrictions there were on the water quality in the
11 streams.

12 Those would be the documents with which I would
13 begin an analysis.

14 Q Now, if you were to determine if land could
15 be utilized with septic systems, what information
16 would you need before making an educated opinion?

17 MR. MEISER: Do you understand that
18 question?

19 THE WITNESS: I would like him to
20 repeat it.

21 MR. BERNSTEIN: I'll have the
22 reporter read it back.

23 (Previous question read back by
24 reporter.)

25 A Utilized in the sense for planning purposes or

1 for design purposes?

2 Q Planning purposes.

3 A I would say that my first approach would be to
4 see whether the Soil Survey suggests that there are
5 slight, moderate, or severe limitations. If I were
6 pressed to come up with better evidence than that --

7 Q Would that suffice in your opinion for
8 planning purposes? Is the Soil Survey sufficient?

9 A For township comprehensive plan level analysis,
10 I think yes.

11 Q Would I be right in assuming that you would
12 be opposed to septic systems being used on soils which
13 had been classified as having severe limitations?

14 A That's correct on a planning basis, yes.

15 Q With regard to soils having moderate or
16 slight limitations, what would be your recommendation
17 on a planning basis?

18 A I would simply allow them to be considered as
19 a possibility if they were listed to be moderate.
20 I would consider them to be a high possibility if
21 listed slight.

22 Q Have you had any experience, sir, with
23 systems for effluent disposal other than septic

24 systems?

25 A Yes, I have.

1 Q And can you explain first what other types
2 of disposal systems you're familiar with?

3 A I spent roughly three years under EPA contracts
4 to first, draw up a training program for engineers,
5 to educate them into the appropriate properties that
6 soils should have in order to successfully treat
7 effluent by four or five or six different types of
8 alternative sewerage treatment methods.

9 I spent roughly two years under EPA contract
10 designing guidelines for the disposal of municipal
11 and industrial waste for alternative treatment for
12 the state of Idaho.

13 The types of alternative treatment measures that
14 I'm familiar with through these studies and also to
15 a certain extent by personal visits to areas where
16 these are operating are spray irrigation --

17 Q If you'd just go slowly.

18 A Spray irrigation, trickle and flood irrigation,
19 overland flow treatment systems, marsh-pond treatment
20 systems, sludge disposal alternatives. Those are the
21 main ones that I'm largely familiar with.

22 Q How about the mounds? Wouldn't it be a
23 sixth category?

24 A I don't claim to have studied mounds in a
25 scientific content.

1 Q Could you explain to me what the spray
2 irrigation method entails?

3 A For what?

4 Q For construction first.

5 A It depends on the type of land use that you wish
6 to make spray compatible with. Exactly what materials
7 and equipment are required, but generally there would
8 be some level of pretreatment which may be specified
9 by state statute, and maybe be dictated by common
10 sense, may also be the effect of what is available in
11 terms of materials. There is generally some sort of
12 a holding device, a tank or a pond or whatnot, and then
13 a system of pipes which extend into a spray field area
14 which deliver effluent at rates which are calculated on
15 the basis of soil geologic environmental conditions.
16 That is the guts of the system.

17 There are a number of accoutrements which are
18 necessary such as buffer zones and so on, to insure
19 some sort of reasonable level of safety.

20 Q When you talk about buffering, can you
21 tell me what you mean by that with respect to a
22 spray irrigation system?

23 A It's generally considered wise to use some
24 sort of system between the edge of the spray field and
25 other contiguous land uses in order to reduce the

1 potential hazard of aerosols and aerosol drift downwind
2 facilities.

3 Q And is there a rule of thumb as to the type
4 of buffering that would be considered minimally
5 acceptable?

6 A There is no general rule of thumb. It would
7 depend again on state regulations. It depends on the
8 velocity of spray if spray is the chosen method of
9 distributing the effluent. The height and the type
10 of lands in which the spray is taking place. If it's
11 into a forest, then that's more difficult than if it's
12 in a field. And if it's into opened areas which are
13 subject to substantial winds, then I would say that
14 one could make a case. It would be difficult if it
15 was enclosed forest land.

16 Q What would be the minimum buffer which would
17 be acceptable given the most favorable conditions?

18 A I couldn't tell you that offhand.

19 Q Could you use a spray system with all
20 types of soils?

21 A No.

22 Q What types of soils are those types which
23 could accept the spray system?

24 A Now, it's important to define the use of the
25 word "type." Soil type has a very specific connotation.

1 to a soil scientist. You mean soil texture, soil
2 conditions, do you really mean soil type?

3 Q When I use the term "soil type," I mean
4 the different types of soils. I'm not using it in
5 the scientific, but in the layman's, and I'm asking
6 are there soils which cannot accept the spray irrigation
7 system?

8 A The answer to that is yes.

9 Q Would you say that most soils could accept
10 spray irrigations?

11 A Most soils in all of the world?

12 Q In Morris County?

13 A I haven't a first-hand familiarity with Morris
14 County so I don't feel that I can answer that at this
15 point.

16 Q I'm going to use the word "type" from a
17 layman's standpoint rather than from an expert's
18 standpoint.

19 Can you tell me what type of soils which would
20 be found in the Morris County region would accept
21 spray irrigation, and what types of soils would not
22 accept spray irrigation?

23 A In order to treat the effluent appropriately
24 most soils which are suitable for septic tanks would
25 accept spray irrigation.

1 Q Do you have an opinion as to the maximum
2 density which one could construct dwelling units with
3 respect to a system which utilized spray irrigation?

4 A The maximum density is dependent on the means and
5 the effectiveness by which nitrogen would be removed
6 from the effluent. It would also be dependent on the
7 total number of people, the total number of land
8 required for the spray field with an appropriate
9 buffer area. So that I think there is no inherent
10 density limit for the number of units that people
11 could put in one particular space, that the constraints are
12 on the basis of the size of the spray fields and the
13 effectiveness with which nitrogen is removed, and
14 that can vary dramatically depending on the type of
15 systems selected.

16 Q Do you know of any studies that would tell
17 us the maximum density by which residential dwelling
18 units could be constructed with spray irrigation?

19 A You have to define maximum density, as density
20 to a developed site like?

21 Q Units per acre.

22 A Gross units per acre?

23 Q Gross units.

24 A I cannot give you that. I think that it could be
25 calculated if we knew the exact details of the proposed

1 development. We would need to know the total area owned,
2 the total area that could be leased. We would need to
3 know several factors before we could calculate that,
4 but I think a very reasonable estimate could be made.

5 Q Do you have any density figures on any --
6 the density of any projects which are constructed using
7 spray irrigation?

8 A Yes, I definitely knew of those. I don't know
9 them off the top of my head. I will tell you that there
10 are high density development communities. I guess they
11 might be called PUD. I could be mistaken because my
12 definition of PUD may not be perfect.

13 There is a retirement community which I would
14 judge the density to be 12 per acre and that has
15 been operative for several years using spray irrigation.

16 Q Is that on a gross or density basis,
17 12 units per acre?

18 A That is the area which is developed.

19 Q What would that be on, a gross unit basis?

20 A I don't know exactly the size of the spray
21 field. I could not guess without further information.

22 Q In order to make an estimate as to the
23 maximum density by which you could construct dwelling
24 units given a system using spray irrigation, what
25 factors would you have to know and what studies would

1 you have to make before giving an opinion?

2 A I think those were enumerated previously on the
3 record. I'll do them again if you wish.

4 Q Fine.

5 A First, we would need to know the total area that
6 could be used, the total area that is owned and could
7 be leased. We need to know several soil properties.
8 I need to know the depth of seasonal high water table.
9 You need to know texture. You need to know permeability
10 of the various horizons. You need to know something
11 about the geology substratum. You need to know the
12 moisture retention capacity of soils. You need to
13 know the cation capacity of soils that are being
14 utilized. You need to know the nature of the effluent
15 and the particular compounds and components that it
16 might contain. We certainly need to know any legal
17 restrictions which govern buffer zone's pretreatment.
18 We need to know the number of people in order to
19 calculate the size of the field which goes along
20 with knowing about the soil properties.

21 I'm sure there are other factors which don't
22 leap to mind, but that I can easily find out and can
23 produce documents which I have once produced.

24 Q And what documents would you have to
25 study before determining all the factors that you just

1 enumerated?

2 A Certainly one would begin with the Soil Survey
3 as being the supplier of information which will relate
4 to the effectiveness with which the soil will treat
5 the effluent, and that will also allow us to calculate
6 the size.

7 Other geologic and topographic information should
8 be consulted again to try to understand in which
9 direction this will move.

10 We would need to know which type of vegetation
11 that people would want to select to put onto this
12 site in order to be able to judge the effectiveness
13 with which nitrogen would be removed.

14 Those are the documents that I would first
15 consult.

16 Q Would it be fair to say when estimating
17 densities that could be used and when determining
18 whether or not various systems would work in a
19 particular area, that you would have to go through
20 the processes that you've just discussed when making
21 a proper analysis for planning purposes?

22 A That's correct.

23 Q I would modify that slightly by saying that
24 for planning purposes I believe a check of the Soil
25 Survey and the information that it provides with respect

1 to each of those properties I named would be very good
2 for planning purposes. I might like to refine that
3 by checking out topography in areas that were designated
4 as being appropriate. It would also be useful to
5 consult landscape maps certainly.

6 Q Would that be able to tell you the densities
7 that you could provide, or merely how the system could
8 function?

9 A It would tell whether the system can function
10 or not. Densities are site-specific and location-
11 specific.

12 Q Is it also true that spray irrigation as
13 a general rule requires a substantial land area in
14 order to make it work properly?

15 A Spray irrigation could conceivably be used for
16 a single dwelling unit if the owner so chooses.
17 It would probably not be functionally useful, but
18 would not require a particularly large area. He
19 could spray his garden if he wished or his back yard.
20 If one is considering spray irrigation -- from your
21 reaction I can tell you have very little first-hand
22 familiarity with spray systems.

23 Q I'm not the expert.

24 A But if one is planning for a substantial number
25 of people, the amount of land required is in general

1 large, probably larger, certainly larger than one would
2 need for the septic tanks.

3 Q Would you explain the trickle and flood
4 system?

5 A The flood system is generally -- at least with
6 what I'm familiar with and would say that are possible
7 alternatives require land which is relatively level.
8 There is often embankments or dikes along the edges.
9 There is generally a pipe, a system of pipes and a
10 large pipe which has gates in it which will distribute
11 the effluent at selected intervals which will then
12 flood the area that is surrounded by dikes or relatively
13 flat areas. That is allowed to soak in. And the dosing
14 rate, we depend on the soil properties, but probably
15 they had been dosed once a week or something like that
16 with one or two inches of effluent. That's a very
17 general statement as to how these might operate.

18 Q I assume that not all soils could accept this
19 type of treatment?

20 A That's right.

21 Q I assume this would require a relatively
22 larger area as well?

23 A For a larger number of people and a large amount
24 of effluent it requires in general a substantial area.
25 The area could be roughly calculated by figuring as a

1 first estimate two inches of effluent per week.

2 Q How would that determine the area? In
3 other words, assuming I had one dwelling unit --

4 A If you can multiply the number of people by the
5 appropriate number of gallons of water per day that
6 they use, this will give you a volume. If you know
7 the area -- if you select an area and you can divide
8 that into the volume and determine how many inches.

9 Q Let's assume that I have a family of four
10 living in a single-dwelling unit and that family
11 produces -- we'll be conservative -- three hundred
12 gallons a day of effluent. What do you estimate would
13 be the area that would be required for the trickle
14 and flood method, and I'm interested in the area that
15 you would require for the effluent disposal.

16 A You would have to tell me what soil conditions
17 you're dealing with.

18 Q I'd be assuming moderate soil limitations,
19 and aside from that, I'd be assuming optimal conditions.

20 A Moderate soil limitations? I'm not sure I would
21 recommend this particular system for areas where there
22 are moderate limitations. Also it would be a question
23 of what caused the moderate limitations.

24 Now, if the soil said that the limitations were
25 moderate because the area was very stony and it was

1 hard to put a septic tank in, that might not preclude
2 using this particular type of system.

3 I will crank out a number for you if you wish
4 to know based on soil properties alone and not a
5 specified limitation of what the amount of area would
6 be.

7 Q I'm looking for a ballpark figure.

8 A I've got to get a calculator.

9 MR. MEISER: I don't know if we
10 have one here.

11 A I cannot do it for you then.

12 Q We'll save it for the next set of
13 depositions. If you could put that on your list.

14 And if you could explain to me the overland
15 flow system.

16 A At this time you would like me to do that?

17 Q Yes, sir.

18 A The overland flow system is again a method of
19 land treatment whereby we have a gently sloping
20 surface which is generally impermeable or waterlogged.
21 They work best if we have relatively impermeable soils.
22 The effluent is allowed to flow over the surface of
23 this slope deferred again by a large pipe with gates
24 or a system of pipes. As the water flows downhill,
25 the soil acts to remove phosphorus. Bacteria are

1 engrained and ultimately destroyed. There is an aerobic
2 portion of the process by which nitrogen is nitrified
3 in the waterlogged zones of the particular fields where
4 the treatment is taking place. There is denitrification
5 which allows for the removal of excessive nitrogen.

6 At the bottom of the field, depending on the
7 nature of the effluent, there may be a recollection
8 system which will collect the runoff and recycle it,
9 perhaps spray it if additional treatment is expected
10 or desired. Or there may be suitable effluent to
11 deliver to an irrigation way, a stream or whatever.

12 Q I assume you can't give me any general
13 statement as to density that would be permitted with
14 this system?

15 A I cannot give you a general statement.

16 Q With regards to the marsh-pond?

17 A The marsh-pond systems are still in the
18 experimental stage but have been shown experimentally
19 to be quite effective. There's some -- and this
20 is the case also with the other land treatment
21 systems -- there is some pretreatment which might
22 be comminution to something like that of solids
23 and perhaps a chance for some BOD removal by aerobic
24 decomposition aerated tank. That effluent tank
25 flows through an artificial, or perhaps in some cases,

1 a natural marsh, and it has been shown that certain
2 types of plants are particularly effective at
3 accomplishing a number of important characteristics.
4 Bacteria and other pathogens are engrained and
5 destroyed. Heavy metal are scavenged by the sediments
6 in the bottom of this marsh. Nitrogen is first
7 nitrified and then denitrified in the sediments of
8 the marsh if it presses readily fixed by the
9 sediments and along with the nitrogen that's taken
10 up by the plants in the marsh.

11 The outlet of the marsh then is introduced into
12 a pond, which we might think of as a polishing, which
13 would contain a food chain which would be specified
14 as to the components that exist there, and the food
15 chain would remove additional nutrients from the
16 water which then would be distributed into a tributary
17 stream. It would be put into infiltration basins.

18 The experimental results indicate that the
19 water quality and the outlet of the lake is very
20 good.

21 Q And I assume you couldn't give me any
22 general statement as to densities that would be
23 permitted with the marsh-pond system?

24 A The densities are a function again of how
25 much land is required. We have designed a marsh-pond

1 system for a development in Bucks County, Pennsylvania,
2 and it has been approved -- my understanding is it
3 has been approved by all the appropriate authorities,
4 and that the developer is interested in obtaining
5 EPA project funding in order to implement this.

6 Q Do you know what the proposed density
7 will be for this project on a gross basis?

8 A The developer has a substantial tract of land
9 which he wishes to develop in stages. The design
10 of the system was for ten units which were on
11 approximately two-acre parcels plus or minus.

12 Q Are all ten dwelling units on two acres
13 or is each dwelling unit on a two-acre plot?

14 A I don't recall the size of the plots, but
15 it's not ten dwelling units on two acres. It wouldn't
16 matter if it were, but I can say that they could
17 all be on two acres and they could use exactly the
18 same system if they wished.

19 Q So you don't really know the densities
20 other than the fact that they're ten dwelling units?

21 A The density is irrelevant to the nature and
22 the size of the treatment system. It's simply the
23 number of units which determines the size of the
24 system.

25 Q Well, how large will be the area where

1 the marsh-pond will be constructed?

2 A I don't recall the exact figure since this was
3 about two years distant, but I would judge that there
4 is no more than a couple acres tied up in the entire
5 marshing pond for ten units.

6 Q If you had more units, would that require
7 a larger marshing pond?

8 A It would require a larger marsh, yes, and it
9 may not require a larger pond in that the rate of
10 flow through the pond just might be increased.

11 Q The last method you speak of, polishing
12 disposal --

13 A Right.

14 Q -- can you explain that for the record?

15 A There is a substantial number of ways in which
16 municipal sludge is disposed of. Some which are
17 on-going are illegal.

18 One method for disposing of sludge is to apply
19 it to land in any number of different types of
20 situations, the goals being: one, to get rid of
21 the sludge from the area where it accumulates; two,
22 to recycle the nutrients in some way that we might
23 at least convince ourselves that we are getting some
24 benefit from it; three, to dispose of it in such
25 a way so as to reduce the nuisance aspects of sludge,

1 that being the possibility of pathogens, excess
2 nutrients, heavy metals, odors, to name some.

3 Q I assume that you couldn't give me any
4 general statements as to the densities, what the
5 maximum densities are that one could build dwelling
6 units using the sludge disposal system?

7 A The two are absolutely unconnected. Sludge is
8 often disposed of away from the site where it's
9 accumulated. A for instance is a very high density,
10 and they are wishing to and maybe successful at
11 disposing of their sludge in the coal mining region
12 in central Pennsylvania 400 miles distant.

13 Q I assume that would be expensive?

14 A I would say that's absolutely correct.

15 Q Now, do you have any general figures as
16 to the cost per dwelling unit which is associated
17 with using any of the five systems that you just
18 discussed?

19 A I believe that our calculations for the
20 marsh-pond system indicated it was competitive to
21 the sewerage treatment conventional septic tank
22 systems. I believe it's \$1200 per dwelling unit
23 as we calculated. That's an estimate, and I would
24 have to refer to the specific documents.

25 Q That was for a single marsh-pond system

1 given a single set of facts, isn't that right?

2 A That's correct.

3 Q And you couldn't say that based on your
4 one experience that it would generally cost \$1200
5 per unit per marsh-pond whenever it's constructed?

6 A I would not wish to generalize across the U.S.A.
7 The state of Pennsylvania--but I would say in similar
8 circumstances with similar types of geology soils,
9 similar hydrologic conditions, that I would not expect
10 the cost to vary dramatically from that figure, but
11 I would not say in general all will cost that.

12 Q Do you have any idea of the cost for any
13 of the other systems besides the marsh-pond?

14 A I don't have a cost figure which I could give
15 you based on DU's per acre or per individual
16 household. Costs are generally calculated on the
17 basis of gallons of effluent per day, and such
18 figures I would suspect could be found. In general,
19 I would say that the smaller the number of units
20 and the smaller amount of effluent one is going to
21 dispose of, the greater the unit cost will be.

22 There is efficiency and there is cost benefits to
23 substantial systems.

24 Q Is there a smell associated with any of
25 these systems?

1 A If these are operated properly, the answer is no.
2 If they are operated and designed improperly, the
3 answer is in some cases there certainly might be.
4 When you say "Is there a smell," we say there is an
5 inoffensive smell.

6 Q Offensive?

7 A I don't believe so. I believe I can convince
8 you of that too if I took you to some of them.

9 Q Are there any disadvantages in using any
10 of the systems that you discussed over installing
11 septic tank systems?

12 A Are there any disadvantages?

13 Q Yes.

14 A I don't believe we could categorically say that,
15 and I don't believe there are any inherent disadvantages
16 except that they might require more land if one
17 considers that to be a disadvantage. It may be an
18 advantage if someone is seeking to preserve space or
19 open space.

20 Q Why would these five systems require more
21 land than septic systems?

22 A Buffer zones are probably a major factor there.

23 Q Let me see if I understand you correctly.

24 I believe it was your testimony that the
25 maximum gross density that one could build, assuming

1 one were to use septic systems exclusively for effluent
2 disposal, would be one unit per acre, is that correct?

3 A On a basis of the whole watershed?

4 Q Gross density we're talking about.

5 A Yes.

6 Q Can one increase this gross density above
7 the one unit per acre if one were to use the five
8 alternative systems that you just discussed for me?

9 A Yes.

10 Q And can you tell me up to what point could
11 you increase the gross density?

12 A Now, when you say "increase the gross density"
13 that means more than one DU per acre?

14 Q That's correct.

15 A The limit depends, in my opinion, upon the
16 efficiency of any flow, general removal in any of the
17 systems which are selected.

18 Q And would this have to be done on a
19 site-specific basis?

20 A Now your question doesn't follow from the
21 information that I just gave you.

22 Q Now, how would you determine what the
23 maximum densities one could use these alternative
24 systems were? How would you determine it?

25 A You would select a system and then I would or

1 you would select -- you would find out the nitrogen
2 removal efficiency of these various systems, and from
3 that then you would be able to estimate what the
4 nitrogen renovation capacity is, and that would then
5 allow you to determine what density or the number of
6 units you could have on this system. It would
7 determine -- you could determine the number of units
8 you could have on the system given available land,
9 and that would tell you whether or not you could do
10 this based on nitrogen alone.

11 Now, that is the density limiting, or the
12 number limiting factor that you're concerned with
13 given the answer that I gave you.

14 Q Is it the sole limiting factor or are
15 there other limitations with the systems?

16 A I would suggest that the actual amount of
17 water that's going to be displaced over this land
18 is something that one should consider. The actual
19 amount of land that is owned or can be leased is
20 another potential limiting factor in this system.

21 Q So in order to determine maximum density
22 using each of those five systems, wouldn't you really
23 have to be site-specific and project-specific rather
24 than speaking in general terms speaking about
25 densities?

1 A If you were going to design a system, you would
2 need site-specific information. If you wished to
3 determine the general feasibility as to whether or
4 not the landscape has the appropriate properties,
5 then I think you are warranted in doing this without
6 on-site investigation.

7 Q What I'm interested in, though, are
8 estimates as to maximum densities.

9 A Then you have to do it. There isn't necessarily
10 a connection between density and the systems. These
11 systems, I think, do not imply density at all.

12 Q Well, these systems, don't each have an
13 infinite capacity for additional dwelling units?

14 A You're talking about numbers, and not density.
15 That's different.

16 Q Well, there are limitations that each
17 of these systems have, is that true?

18 A Limitations as far as what?

19 Q Amount.

20 A If you have a very, very large parcel of land
21 available, then you can hold a very, very large
22 number of people, or you can supply sewerage treatment
23 to a very large number of people.

24 Q Each of these systems has maximums that
25 are inherent because of the characteristics of the

1 land?

2 A That's not correct.

3 Q You're saying that some of these systems
4 can take an infinite amount of effluent?

5 A If you have an infinite amount of land?

6 Q I'm saying given a specific piece of
7 land.

8 A Okay. You never gave me that before.

9 Q Unfortunately neither we nor the Public
10 Advocate has an infinite amount of land.

11 Given a specific piece of property, that
12 property can only take a certain amount of effluent?

13 A That's correct.

14 Q And do you have any formulas or do you
15 know of any studies or have you had any conversations
16 with any experts in the field that would give you
17 the basis for a formula so that you can say piece of land--

18 A There's no rule of thumb that can be constructed
19 given the site-specific information. I could do it.
20 It can be done by a large number of qualified people.

21 Q Now, let's assume that I owned a hundred acres
22 and I want to see the maximum of dwelling units I can
23 construct on it to maximize my profits.

24 What would you have to do in order to
25

1 determine the maximum number of units I could create
2 using any of these five systems?

3 A I would have to start by targeting a number of
4 the DU's that you might like to have, and then you
5 could ask the question, "Is it more cost effective
6 perhaps to distribute them in two-acre lots or one-acre
7 lots on this hundred acres, or is it perhaps better to
8 cluster them and then to consider using the remaining
9 land as a land treatment system?"

10 And then when you have that general number and
11 you figure out how many acres you might have left
12 over, you could identify the soil properties, and
13 you can make a first estimate as to how many people --
14 the waste from how many people could be treated on
15 the available land. You could then adjust the number
16 of dwelling units based on whether or not the land
17 could take more or should, in fact, not take as much
18 as you would hope.

19 Obviously there's a feedback process that one
20 would go through in determining this. There's no
21 sort of rule of thumb.

22 Q Wouldn't one have to, preliminarily at
23 least, design a system and see if it would work
24 given the total number of units the developer
25 wanted to construct?

1 A Design a system, no. I don't think so, because
2 the nature of the design might be fitted to the
3 landscape. It's simply judging the inherent capability
4 of the system to deal with the required amount of
5 effluent with a specified amount of land available.

6 Q And I assume each piece of property would
7 have different capacities?

8 A That is only true if their landscape properties
9 are different.

10 Q You would agree that --

11 A Each piece of property --

12 Q -- land is unique?

13 A Each piece of property is unique, but that
14 doesn't mean that two pieces of property cannot have
15 virtually the same characteristics and would allow
16 then virtually the same density or the same number
17 of units.

18 Q When you were to advise a developer, you
19 couldn't give that developer general rules as to
20 maximum densities, could you?

21 A On the basis of what?

22 Q On the basis of merely looking at the
23 soils maps and assuming that he were to use one of
24 the alternative systems.

25 A I can give him the maximum number of units that

1 he could treat by going through the soil properties
2 I have enumerated previously, and then I could give
3 him an estimate.

4 Q Well, are there any soils anywhere that
5 you've studied in your years as a soils expert where
6 you can tell me, given certain soil properties a
7 hundred acres of land could take, the effluent
8 produced from "X" dwelling units?

9 A I have never designed land treatment systems
10 for an individual, for a municipality, so I cannot
11 speak from experience.

12 Q Is this beyond the purview of your
13 expertise?

14 A No, I believe I could calculate for a man the
15 number of units that he could put on a particular
16 piece of property given the appropriate information
17 with which to assess the ability of the property
18 to assimilate effluent in any of the scenarios.

19 Q But you'd have to look at the specific
20 site?

21 A I would have to look, at least, at the data
22 for a specific site.

23 Q And it's your testimony that you've
24 never designed any of the systems that you designed.

25 A I guided and participated in the design of a

1 marsh-pond system. I certainly have put together all
2 of the guidelines and all the considerations that a
3 person needs to know about to design virtually any of
4 those systems. And I have run -- we have run tests
5 problems, you know, test cases, given this other thing
6 was the appropriate density. The calculations of such
7 a number of units is really not very difficult to do
8 given the appropriate information. I'm very qualified
9 to do it.

10 Q But you've never done it before?

11 A I've never been paid to do it by a developer.

12 Q Have you ever made a calculation as to
13 the number of units that a specific parcel of land
14 could hold for any purpose?

15 A The number of units?

16 Q The number of units.

17 A I've calculated how much effluent is appropriate
18 to apply to a certain parcel of land.

19 Q Have you ever calculated the total number
20 of units that could be constructed on a given
21 parcel of land given the limitations for septic
22 purposes.

23 A Well, I don't know the answer to that question,
24 but it's so simple to get from the total number of
25 gallons of effluent divided by the number of people

1 and their water use per day so that that number is
2 positively trivial to obtain that number given the
3 kind of calculations that I've got.

4 Q And you've made these calculations as
5 to the amount of effluent that land could hold in
6 what specific geographic areas, New Jersey, New York,
7 Pennsylvania?

8 A I'm not sure whether the soil properties and so
9 on that we used were site-specific or whether they
10 were simply general soil characteristics that we
11 have specified for the purpose of an example to show
12 other people how it's done. I don't have a specific
13 recollection, although I believe it was done in
14 conjunction with the work I did at Cornell as a Post
15 Doc on this course designed for engineers, and it
16 may in fact be reflected or may have been done
17 again in the Idaho study.

18 Q Other than your two studies, have you
19 ever --

20 A There are three studies, one was the marsh-pond
21 system, one was Post Doc at Cornell in designing a
22 course to educate people as to what they need to
23 know about land systems. This is a course that the
24 EPA is putting on or has put on, a short course
25 around the country that was designed of the problem.

1 we were working with. It wasn't a course for students
2 at Cornell. It was a general educational-type course.
3 And the third study was designing guidelines for use
4 in the state of Idaho, all over the state of Idaho,
5 virtually all over the state of Idaho, with the
6 exception of a few areas for treating a variety of
7 effluent including municipal effluent.

8 Q Well, the only study that you did which
9 was relevant to actual construction was with regard
10 to a single marsh-pond, is that correct?

11 A That's correct.

12 Q Now, with regard to all these studies,
13 can you tell us the results of any study with regard
14 to the amount of effluent that could be held by a
15 single unit of land such as an acre, ten acres, a
16 hundred acres? Do you remember any of those
17 statistics?

18 A I can give you a general guide for the eastern
19 U.S. as to how much effluent a parcel of land can
20 hold.

21 Q Right.

22 A Assuming suitable conditions was specified,
23 two inches per week of effluent,

24 Q And how would we make the computation?

25 I realize you don't have a computer, but assuming we

1 have a family of four in a single dwelling unit that
2 produces 300 gallons of effluent and water a day,
3 what would be the computation so that I can find out
4 how much land area is needed to treat this waste?

5 A I would say that we might, as a first cut at
6 this, assume that we will not put on more than two
7 inches per acre. So there is two inches times some
8 area -- we'll call it "X" which is the volume of
9 water that we can put onto this particular parcel
10 of land. 300 gallons per day can be multiplied by
11 the appropriate number of days in the week, which is
12 seven, to come up with a total number of gallons.
13 That's the volume which would then be converted to
14 acre inches, and that volume can take as much as
15 two inches of effluent per week.

16 Q And this would be using any of the
17 alternative systems that we discussed?

18 A Two inches per week is strictly applicable
19 to irrigation systems, trickle-flood or spray
20 irrigations systems.

21 I think we would have to adjust the overland
22 flow system rates by some appropriate factor, which
23 I cannot specify now. It may be different than
24 two inches per week.

25 Q Do you know of any spray irrigation system

1 that is working in New Jersey at the present time?

2 A Campbell Soup has spray irrigation facilities.

3 I'm not first-handedly familiar with those in New
4 Jersey.

5 Q I assume that would be industrial rather
6 than residential?

7 A Yes, but the general concerns are very similar
8 in the case of canning wastes.

9 Q How big is the site at Campbell Soup?

10 A I don't know.

11 Q Other than the Campbell Soup facility,
12 do you know of any other spray irrigation systems
13 that are operating in New Jersey?

14 A First-handedly, no, but I do believe -- I recall
15 that there is at least a model spray system operating
16 in the Pine Barrens. If it's not an operating system,
17 the operating data has been drawn up.

18 Q Do you know of any trickle and flood
19 irrigation methods operating in New Jersey?

20 A No.

21 Q Any overland flow methods?

22 A No.

23 Q Any marsh-pond methods?

24 A No.

25 Q Any sludge disposal methods that are used

1 in New Jersey?

2 A Not first-hand.

3 Q Could you tell us if you consider yourself
4 to be familiar with the situation in New Jersey with
5 regard to effluent disposal or whether or not your
6 actual knowledge has been directed towards other
7 geographical areas?

8 A My knowledge is not site-specific. I believe
9 I could apply it very readily to New Jersey when
10 I know what the legal standing of the system is and
11 what the legal implications are.

12 Q Well, in the past you worked in New Jersey?

13 A Not with a treatment system.

14 Q Have you worked with any of your areas
15 of expertise in New Jersey?

16 A Oh, yes. I've worked for two years as a
17 research soils scientist in the Pine Barrens.

18 Q Anywhere else in New Jersey have you worked?

19 A I've been a consultant to a project that was
20 conducted in Bedminster Township. I was simply a
21 one-day consultant asked to give an explanation of
22 the landscape and why it was the way it was.

23 Q I assume this was on behalf of Allen
24 Gerchen?

25 A I don't know. I was a sub-consultant hired by

1 a consultant to Wallace, McHarg, Roberts & Todd.

2 Q You were involved with John Sinton on
3 that project?

4 A That's where I met John Sinton.

5 Q Did you prepare a written report?

6 A No.

7 Q What was your task with regard to
8 Bedminster?

9 A To fly over the area in an aircraft, to observe
10 what the landscape looked like number one. Number two,
11 to read the Soil Survey report and to interpret from
12 that what kind of soils in general are found in what
13 types of landscape settings, what type of landscape
14 positions. Third, to drive through the township to
15 visit sites that might have been of specific interest
16 to, in my understanding, the landscape.

17 Q And did you make a written report to anyone?

18 A No written report.

19 Q Oral report?

20 A Yes.

21 Q To whom?

22 A To Ian McHarg.

23 Q Did you talk to anyone else?

24 A There was a group of people in this little
25 gathering. It was done on the wing of an airplane.

1 And John Sinton may have been present. I don't recall.
2 There may or may not have been lawyers who were
3 involved in this particular township present at the
4 time. There was no more than four or five people,
5 perhaps as few as three or four.

6 Q Was John Kerwin one of the people that
7 you reported to in the Bedminster case?

8 A I have no recollection of names except for Ian
9 McHarg and John Sinton.

10 Q And other than your work in the Pine Lands
11 and your work in the Bedminster case, was there
12 anything else you had done in New Jersey in a professional
13 capacity?

14 A I have to think a minute. I believe that's
15 it.

16 Q And what did you do for the Pine Lands
17 study?

18 A Well, I've dug along with my students 50 or 60
19 holes, looking at the soils, measured a wide variety
20 of soil properties. We have kept very careful track
21 of a nutrient budget and a trace metal budget and
22 an experimental watershed that we have in the Pine
23 Lands.

24 I've given a course on the Pine Barrens and a wide
25

1 variety of the different ecosystem types that exist
2 in the Pine Barrens.

3 I've cored 300 trees in the Pine Barrens and
4 recorded how the trees have grown over the past 120
5 years.

6 I have in my laboratory under my direction
7 analyzed several hundred water samples from the Pines.
8 At least several tens of soil samples have been
9 analyzed for a wide variety, or for a variety of
10 different constituents.

11 We have tried to trace historic changes of
12 pH in the soils, historic changes of pH in the streams.

13 It's a very wide approach to it by geochemistry
14 of the Pine Barren's ecosystem that we are still
15 engaged in.

16 Q Would you recommend that for certain
17 areas in the Pine Barrens that there be a residential
18 density of more than or less than one unit per acre?

19 A A gross density?

20 Q Yes.

21 A Within what bounds? Within the bounds of a
22 watershed, shall we say?

23 Q You can pick the dimensions.

24 A I will venture an opinion at this point that,
25 yes -- did you ask whether we could have less than

1 or more than a DU per acre?

2 Q Less than.

3 A Less than DU per acre can I justify in the Pine
4 Barrens?

5 Q Yes.

6 A Under some circumstances, yes.

7 Q Would you explain what these circumstances
8 might be?

9 A Where there are soils which do not have
10 substantial capacity to renovate effluent.

11 Q And what do you believe would be the
12 smallest density which you feel land in the Pine
13 Barrens would reasonably be expected to take, yet
14 consistent with good planning and good environmental
15 design?

16 A I'd like to confer with counsel, if I may.

17 I would say that for certain areas in the
18 Pine Lands that a density of one dwelling unit per
19 seven acres probably would be an appropriate density.

20 Q You wanted time to give an explanation.
21 This is it. Give the explanation.

22 A My feeling is based on the fact that the Pine
23 Lands are a very unique ecosystem, and that I would
24 like to see applied to the Pine Lands very strict
25 controls of water quality and land uses that stems

1 from an understanding of the soils and their properties,
2 and a feeling that unique resources should be
3 preserved and protected.

4 Q Can you tell us what's unique in the
5 Pine Barrens that you as a soils expert feels should
6 be preserved and protected?

7 A The water chemistry in the Pine Barrens is unique
8 probably in North America. The water which is there
9 is as soft as any water which I have studied or have
10 seen any record of studies. By soft I mean it contains
11 a porosity of dissolved constituents. Because it is
12 unique, it is, in my view, appropriate to preserve
13 it in order to study and to understand it.

14 This particular type of water system which is
15 very soft may be very simple, which would give us
16 some insights into naturally occurring biogeochemical
17 processes which would not be observed elsewhere in
18 more complex types of water.

19 In addition to the water chemistry, the
20 biogeography of the Pine Lands is unique. It's an
21 area where the southern-most range of certain plant
22 and animal species is observed, and it's also the
23 northern-most range of other species of plants and
24 animals, and therefore there is a mixture of plants
25 and animals which are at one extreme or another of

1 their nature range which generally tends to suggest
2 this is a fragile environment or fragile ecosystem.

3 Additionally, the soil properties in the Pine
4 Barrens may not be unique in all of North America,
5 but they are certainly very limited to an area of the
6 outer coastal plains. And the properties which we
7 see in the Pine Barrens, in the Pine Barrens' soil
8 have never been reported upon in the literature.

9 And I would say that therefore I think that careful
10 study is warranted. I would like to see these
11 particular soils not disturbed or destroyed until
12 we know more about their particular chemical aspects.

13 Another reason why I think that I would like to
14 see the Pine Lands protected is that there are a number
15 of rare and/or endangered plant species which exist
16 in habitats which may depend upon the continuation of
17 the current water quality with no disruption.

18 We ought to know at least whether or not these
19 things will be altered dramatically by changes in
20 water quality, and we cannot assess that yet.

21 To summarize why I think stringent requirements
22 are required, I think that at this point in time we
23 know less about the way Pine Barrens' soils in the
24 Pine Barrens' ecosystem operates than most other
25 ecosystems in the United States. And therefore we

1 should proceed with great caution.

2 Q How would you recommend that the Pine
3 Barrens be protected?

4 A I have no recommendation on how it should be
5 protected.

6 Q What steps could be taken in order to
7 protect the Pine Lands and its unique systems that
8 you've just described?

9 A I believe that they have instituted -- they,
10 meaning the State of New Jersey -- has instituted
11 sufficient water quality standards for the Pine Land
12 region which are more stringent than the water quality
13 standards for most of the rest of the State of New
14 Jersey, which, I believe, is an appropriate move.
15 And that also has led to my general characterization
16 of one DU per seven acres on appropriate development.
17 That's based on repetitively strict water quality
18 standards, particularly with respect to nitrogen.

19 The actual social structure and infrastructure
20 necessary to effectively preserve the Pine Lands, I
21 don't feel qualified to make an expert judgment on,
22 but I believe I understand what the federal and state
23 governments have in mind. I'd be glad to explain that

24 as you wish.

25 Q I'm interested more in your opinions than

1 in the federal and state government, since you will be
2 testifying. If you are going to support the federal or
3 state positions, then I'd like to hear it if it's a
4 position that you're at one with, but I'm not interested
5 if it's just an explanation you're going to give.

6 A I don't really have a well-formed opinion as to
7 how is the best way to go ahead and protect the Pines.
8 The reason I don't is that my feeling is that the
9 greatest threat to the integrity to the Pine Lands does
10 not come from within the Pines, but from outside, and
11 that is the effect of acid, rain, and the additional
12 constituents that are blown into New Jersey from
13 outside.

14 Q How would one protect the Pines from the
15 effects of developments outside of the Pine Lands
16 region?

17 MR. MEISER: You're talking about --

18 MR. BERNSTEIN: All of the negative
19 effects from development outside of the
20 Pine Lands region.

21 Q What are the methods that you as an soils
22 expert would recommend in order to protect the integrity
23 of the Pine Lands?

24 A Desulphurization of phosphorus gasses.

25 Q Which entails what?

1 A The use of any one of a variety of, shall we
2 call them, sulphur scrubbers.

3 Q For homes, for cars, for industry?

4 A Principally for large industries scattered from
5 Philadelphia to Chicago and south to Tennessee and
6 Virginia.

7 Q How about development adjoining the Pine
8 Lands? Would you recommend any restrictions on that?

9 A Yes and no. I need now to say that your question
10 has prompted me to recall a one-evening discussion with
11 a consultant named Michael Clark about a specific area
12 adjacent to the Pine Lands.

13 My feeling about the development next to the
14 Pine Lands is that, again, we don't have all the facts
15 that we need to confidently assess what the effects
16 might be, and therefore we should proceed with the
17 reasonable degree of caution. We don't know what the
18 effects would be on the Pine Lands. Therefore, we
19 should proceed with a reasonable degree caution.

20 Q Would that suggest low densities for
21 development within the area close to the Pine Lands?

22 A Low densities based on what? If you're asking
23 me to say does that preclude high density development
24 the answer is no. But low overall densities, I would
25 say at this time would be a prudent action.

1 Q So what you're saying, I believe, is that
2 you would support gross densities within the area
3 adjoining the Great Swamp?

4 A I don't have any particular first-hand knowledge
5 of the Great Swamp.

6 Q I meant the Pine Lands. I assume you'd
7 recommend low gross density within the area
8 surrounding the Pine Lands?

9 A I would not make such a recommendation to the
10 State of New Jersey. I would simply stay out of it.

11 If they asked me for my opinion, I would say
12 that would be a prudent way to proceed, but I would
13 not say my experience as a consultant entitles me to
14 say that.

15 Q I'm asking for your opinion.

16 A Low densities would be prudent under the current
17 situation.

18 Q How far might these low densities extend
19 beyond the actual perimeter?

20 A That is a question which probably cannot be
21 answered since we don't understand perfectly the
22 hydrology of the region.

23 Q What is your opinion since you're the
24 expert and I'm the attorney?

25 A My opinion would be that I would not give an

1 opinion until confronted with the appropriate
2 information. I would --

3 MR. MEISER: You don't have to

4 guess.

5 A I would not advise you. If you came to me
6 for a recommendation as to what is a safe distance,
7 I would decline to advise you.

8 Q And you've studied the Pine Lands for at
9 least two years?

10 A That's correct.

11 Q And you have no idea what would be a safe
12 distance where low densities would be required?

13 A I've studied the heart of the Pine Lands. I've
14 not studied the contiguous areas that I thought we
15 were discussing. I am not familiar with those fringe
16 areas, and would therefore decline to give you a
17 distance.

18 Q Could you give me a range? Could you
19 say that you would worry beyond a distance of ten
20 miles from the Pine Lands, or could you not even make
21 that statement?

22 MR. MEISER: Again, you don't have

23 to guess. If you have an opinion, okay.

24 A I'll give an opinion. If I could find the
25 appropriate kind of soil for a house with a septic

1 tank, I would say that you could develop as adjacent
2 to the central Pine Barrens as anyone would allow with
3 the appropriate kind of soil. I can't give you a
4 broad range. Site-specific information would need to
5 be brought to bear.

6 Q Would you be concerned with high density
7 development ten miles from the perimeter of the Pine
8 Lands?

9 A It depends entirely on what would happen to the
10 subsurface distribution of water from that development.

11 Q Can you think of situations where you
12 would be worried about development of high density
13 dwelling units occurring ten miles from the Pine
14 Lands?

15 A I can't answer that question because the specific
16 boundaries of the Pine Barrens would definitely be at
17 issue.

18 Now, there are legally defined boundaries which
19 may or may not coincide with the natural boundaries
20 other investigators might choose. No one has the same
21 boundaries for the Pine Lands. So that the ten-mile
22 distance may have absolutely no real bearing on what
23 happens to the Pine Lands.

24 Q Ten miles from any legal boundary?

25 A I simply cannot say without site-specific

1 evidence. In general, I will say that I'm not too
2 worried about things that happen ten miles away, but
3 I won't preclude that something adverse could happen.

4 Q Could you conceive of a situation where
5 you would be concerned about high density development
6 occurring five miles from the legal boundary, from
7 any legal boundary of the Pine Lands?

8 A I cannot conceive of one, but I will not preclude
9 that there could be a situation which would make me
10 inclined to say that maybe this should not happen
11 here.

12 Q Could you conceive a situation where you'd
13 be concerned about high density development occurring
14 within two miles of the legal boundaries of the Pine
15 Lands?

16 A I would answer that exactly the same as the
17 five-mile distance.

18 Q How about 50 feet? Could you conceive
19 of a situation --

20 A Yes, I can.

21 Q How about a thousand feet?

22 A Maybe.

23 Q What's the definition of non-point
24 pollution?

25 A What is the definition of non-point pollution?

1 Q Your definition.

2 A My definition of non-point pollution are the
3 naturally occurring substances which are considered
4 to be pollutants, which are derived from a diffuse
5 area that do not come out, for instance, a pipe,
6 that are transported to a stream. I think of
7 non-point source pollution in the context of a
8 stream. It has pollutants in it and these are derived
9 from a diffuse area, not a single place.

10 Q Are you concerned about the effect of
11 non-point pollution on the Pine Lands?

12 A Pollution by what type of substances?

13 Q Any kind of pollution. You're the expert,
14 aren't you?

15 A Yes, I'm concerned to a certain extent.

16 Q Well, didn't you tell us just a little
17 while ago that the greatest danger to the Pine Land
18 area was from outside the Pine Lands and not within?

19 A I told you that the type of pollution I'm
20 referring to is, in fact, non-point source pollution,
21 first of all, and secondly, the fact that I considered
22 that to be the greatest, that really doesn't mean
23 I'm not concerned by other things that would happen

24
25 Q Now, what are the pollutants that you're

1 concerned with that come from non-point sources with
2 respect to the Pine Lands?

3 A I would say that nitrogen is one that is a
4 possibility. Our work has suggested that lead may
5 be another potential problem in the Pine Lands under
6 certain types of development pressure. I am, to a
7 certain extent, wary of a potential threat from
8 phosphorus, from non-point source pollution. I
9 believe there is a possibility of other chemical
10 fertilizers or other constituents used in chemical
11 fertilizers which might constitute non-point source
12 derived pollutants in certain streams of the Pines.

13 Q And what creates all those pollutants
14 that you're concerned with? What's the source of
15 those pollutants that you envision as a danger to
16 the Pine Lands?

17 A Human activities with respect to the things I
18 mentioned. I'm thinking of human activities, primarily
19 agriculture, secondly, agricultural uses of the land.

20 Q You said, "Primarily agricultural uses."
21 What are the other causes?

22 A Housing, and housing certainly is an appropriate
23 type of a source for non-point pollutions such as
24 nitrogen and perhaps phosphorus.

25 Q How could one reduce the effect of

1 non-point pollution on the Pine Barrens or any other
2 sensitive area?

3 A Control of the source is the way to do it.

4 Q With regard to a house, how do you control
5 pollution at a house?

6 A By what particular type of a mechanism?

7 Q What I'm concerned with is you are
8 concerned with pollutants that affect the Pine
9 Lands.

10 A Right.

11 Q What steps can be taken to control the
12 non-point sources of pollution so that it will have
13 less of an effect on the Pine Lands?

14 A Then I've got to deal with them in categories.

15 Q Okay, residential.

16 A To prevent additional discharge of surface runoff
17 into streams, that means that I would not particularly
18 advocate the use of extensive sewer systems which
19 would come together and drain into a particular
20 water body. But that's not a problem in the Pine
21 Lands. So that some mechanism in design or capturing
22 excess runoff from these developments would be a
23 useful first step. I would advocate sewerage treatment
24 systems which were as effective as possible at removing
25 nitrogen from sewerage effluent, and I would also want

1 to be a little bit more informed, but I could see
2 that it's possible that I might advocate acceptable
3 sewerage treatment so as to remove phosphorus as well.

4 As far as the problem with lead is concerned,
5 I can speak only from our experimental work which I
6 consider that to be more or less private property, and
7 that is that we found that disturbing soils tends to
8 release substantial quantities of lead. Therefore,
9 if that turns out to be generally true, then I might
10 recommend that development occur in the context of
11 not disturbing the soils as much as possible.

12 Q Would one method of reducing non-point
13 pollution be to reduce the density of a residential
14 development occurring adjacent to sensitive areas?

15 A Certainly effective non-point source control
16 can be affected by reducing densities.

17 Q What was the basis for your recommendation
18 that certain areas of the Pine Barrens should be
19 developed on the basis of one DU per seven acres?

20 A A substantial investigation was carried out
21 by two workers at Rutgers named Trella and Douglas.
22 Their conclusions were from studying the soil
23 properties taking into account the special water
24 quality standards with respect to nitrate that seven
25 acres was an appropriate size.

1 Q And you subscribe to that view?

2 A In the Pine Lands, I do.

3 Q What's the relationship, if any, between
4 density and storm water runoff?

5 A In general, the greater the density, the greater
6 the impervious surface, and the greater the increase
7 in surface runoff that occurs.

8 Q And what is the effect between density
9 and storm water quality?

10 A I have no specific figures to enlighten me,
11 but I would suspect that higher densities which,
12 if they are accompanied by greater impervious
13 surface, may lead to an increase in the concentrations
14 of undesirable elements in that runoff water. That
15 doesn't necessarily mean that that water has to impact
16 streams in that particular condition.

17 Q Are you stating, sir, that the impervious
18 ground cover is the critical factor, and increased
19 ground coverage leads to increased storm water runoff
20 and increased pollutants in that storm water runoff?

21 A I would say that is the first consideration,
22 the first line consideration that I think of in the
23 context of that, but I could also imagine that the
24 type of sewerage treatment, the extent of sewerage
25 treatment and potentially other variables would be

1 important as well, perhaps more important in certain
2 situations. It's difficult to generalize.

3 Q Do you have an opinion as to whether or
4 not residential development should occur in areas
5 which are designated as flood plains?

6 A I have an opinion, yes. I think that in general
7 residential development does not belong in flood plains.

8 Q And your reason?

9 A It is a potential hazard to life and health and
10 property.

11 Q How would you define the term "steep
12 slopes"?

13 A That should be defined with respect to the
14 region. I would say for the Piedmont of Pennsylvania
15 that steep slopes are greater than 15 percent.

16 Q What would be the definition of steep
17 slopes in Morris County?

18 A I am at least vaguely familiar with the
19 topography of Morris County. I would say that 15
20 percent or greater is a steep slope.

21 Q Would you recommend residential
22 construction on steep slopes?

23 A If it conformed to certain prescribed performance
24 standards.

25 Q What would those performance standards be?

1 A That the erosion, excess runoff, and the general
2 lay of the land not be disturbed beyond its original
3 state. In other words, I don't personally like to
4 see a lot of cut and fill take place in order to
5 develop on steep slopes. But there are certain
6 designs which I personally find very attractive
7 which can be built into hillsides which are much
8 steeper than 15 percent. But I would say that one
9 should strive to preserve topsoil, limit erosion,
10 and prevent excess runoff.

11 Q Would you say that it's more expensive
12 to construct on steep slopes?

13 A I would guess the answer is yes.

14 Q Would you say that high density
15 development should not occur on steep slopes?

16 A I couldn't say that categorically because I'm
17 familiar with what I think are very attractive, very
18 well-designed high density developments on slopes that
19 are as much as 35 percent.

20 Q And what densities might there be on these
21 substantial slopes?

22 A 12 DU's, 15 DU's per acre, perhaps even greater
23 than that.

24 Q Is that a net basis or a gross basis?

25 A That is the land that is built.

1 Q But on a gross basis, what would the
2 density be?

3 A You have to give me what your definition of
4 gross is.

5 Q Gross is the entire parcel, is that part
6 where development has occurred.

7 A I have no idea what the total land owned is in
8 the cases I'm thinking of. I'll be more specific.
9 Resorts in the ski areas in the Green Mountains of
10 Vermont are the ones that I have in mind that I
11 believe function very nicely.

12 Q These are cottages or what?

13 A They are condominium-type developments. There
14 are also apartment-type developments. In the
15 distinction between them, they sometimes pass from
16 one to the other.

17 Q Do you have any knowledge about the Great
18 Swamp?

19 A Virtually none.

20 Q Do you know where it is located?

21 A In Morris County.

22 Q Which communities?

23 A I don't know.

24 Q Do you know if it's at all significant?

25 A I don't know. What do you mean "significant"?

1 Q From an environmental and ecological
2 standpoint.

3 A I really have no first-hand knowledge. I am
4 sympathetic with the preservation of wetlands which
5 is normally, or in many areas that is, mandated by
6 statute.

7 Q First, would you tell us what you mean
8 by the term "wetlands"?

9 A There are a wide variety of definitions. My
10 own definition involves areas where the ground is
11 saturated virtually all of the time or most of the
12 year. There are botanical categorizations of
13 wetlands, but for my viewpoint saturated ground
14 constitutes a wetland, saturated year round.

15 Q That would be a swamp or marsh?

16 A That depends on the depth of water as to
17 whether you want to call it a swamp or marsh.

18 Q But swamps or marshes would be considered
19 forms of wetlands?

20 A That's correct.

21 Q I assume flood plains would be forms of
22 wetlands?

23 A They may or may not be.

24 Q What would your position be with regard
25 to construction of wetlands?

1 A I am in a position that I could say in general
2 one should never construct or in general it's okay to
3 construct. I believe -- I know of areas which have
4 very attractive communities. In fact, relatively
5 high density communities have been built in coastal
6 wetland areas, and they are built on stilts to keep
7 them above the flooding induced by hurricanes and so
8 forth. So I don't have an overall opinion.

9 Q Aside from stilts, do you know of any areas
10 of wetlands where you would recommend residential
11 construction?

12 A Aside from constructing houses on stilts could
13 I imagine a way in which houses could be constructed
14 in wetlands?

15 Q Right.

16 A Not really. It would be a very specialized
17 act by a person who was very interested in living in
18 a wetland.

19 Q Now, have you done any work, sir, in the
20 area of ground water availability?

21 A Yes.

22 Q And have you done any studies with regard
23 to Morris County?

24 A No.

25 Q Is there a limit on the amount of potable

1 water which is available, presupposing the use of wells
2 exclusively, as it relates to residential development?

3 A Let me see if I understand your question. Is
4 there a limit to the amount of water that you can
5 obtain from the ground if you are only using water
6 from the ground?

7 Q That's right.

8 A I would suspect that the answer is yes.

9 Q And do you know of any studies or any
10 papers or any theories which set up parameters for
11 limitations on ground water availability?

12 A I do not know of them first-hand, but I know
13 that the concept of safe yield has been discussed
14 in a number of concepts, largely by engineers, and
15 that information is available to me if I should need
16 it.

17 Q You haven't studied that information?

18 A That's correct.

19 Q It has been relevant to your work in
20 the past?

21 A It has been relevant to my work in the past
22 except that the answer was obvious given the natural
23 features of the landscape and the subsurface geology,
24 and therefore the need to delve into theories about
25 safe yield was obviated.

1 Q Are you familiar with the term
2 "precambrian gneiss"?

3 A Yes, I'm familiar with it.

4 Q Can you tell us what that means?

5 A A gneiss is a type of rock which is characterized
6 as a metamorphic rock, it being of a variety of
7 specific mineral compositions. In general, it
8 appears to be relatively banded in that it has light
9 and dark zones, and it's comprised of minerals which
10 may include feldspars, it may include quartz, it may
11 include micas to a certain extent.

12 Q Precambrian means that it was formed a
13 long time ago?

14 A Yes.

15 Q Can you tell us what the water-bearing
16 qualities are of precambrian gneiss?

17 A That depends on factors which are not strictly
18 a property of the rock as I described it. It may
19 have substantial water-bearing tendencies in certain
20 areas where it's heavily faulted or jointed.

21 In areas where it's not heavily faulted or
22 jointed, the gneiss itself does not contain very
23 readily assessible water, although it may be -- the
24 gneiss may be interbedded with other materials which are
25 better aquifers and have a greater water-bearing

1 tendency.

2 Q Isn't it a fair statement that where the
3 precambrian gneiss is not shattered, it has limited
4 water-bearing capacities?

5 A I would agree with that. Where it's not
6 shattered, assuming that you mean shattered in the
7 context of jointed or faulted.

8 Q Would you agree that it would be important
9 to protect FB-1 and FB-2 streams from non-point
10 pollution?

11 A I need to review the definition of FB-1 and FB-2
12 streams. I presume those are New Jersey designations?

13 Q That's right, and they go along with
14 particular water quality standards.

15 A In general, I am in sympathy with protecting
16 streams from water quality problems and non-point
17 source pollution.

18 Q And could you tell us the methods that
19 could be used to protect streams from non-point
20 pollution?

21 A I'll give you a variety of methods which I'm
22 familiar with. Some of these methods involve planning,
23 some involve design. I would say that the retention
24 of runoff is the key. Runoff could be retained in a
25 number of ways which are pleasing in design. There are

1 such things as porous pavement which would allow the
2 infiltration of water through the pavement. This is
3 partially experimental, but it has been utilized in
4 other areas. I believe, in fact, there are communities
5 which have ordinances specifying the nature of the
6 paving to be used.

7 There are such things as Dutch drains or French
8 drains which are holes in the ground filled with rocks
9 into which runoff percolates. Water can be made to
10 run off of roofs onto very permeable areas below which
11 would be perhaps trenches that are lined with gravel
12 or sand or something to allow infiltration of the water.

13 There is the potential of holding retention
14 basins which might be necessary in very large instances
15 which are functional and could be made to be useful
16 depending on the types of soils that are present.

17 There is the opportunity to withhold water on
18 built surfaces or surfaces in the man-made landscape
19 which would encourage evaporation of that water.
20 That could be employed to reduce the amount of runoff
21 and to reduce the pollutants that are associated with
22 the runoff.

23 Planning strategies that would be appropriate
24 would be to limit development in areas where surface
25 runoff was apt to be a problem, and by "limit," I mean

1 to make developments in those areas conform to
2 performance requirements, performance standards which
3 would allow no excess runoff over what normally occurs
4 under the natural situations.

5 Q I assume with these planning standards
6 what you're really mandating is a limited amount of
7 impervious coverage?

8 A Not necessarily. That is one way to achieve it,
9 but relatively high coverage can still -- the water
10 can still be collected and prevented from entering
11 stream courses.

12 Q I assume that would be an expensive
13 method?

14 A Not necessarily, because as it's typically
15 done or has been done in the past 15 or 20 years,
16 expensive sewer systems have been revised to collect
17 the water and to get it off the site absolutely as
18 quickly as possible. I would say that that's
19 relatively expensive because you need to put in
20 pipes and so forth.

21 Although I cannot speak on the cost effectiveness
22 of soft mechanisms I just explained to you, I know
23 that there are test data on some of them. In concept

24
25 THE ENGINEER: Thank you very much

1 CROSS-EXAMINATION BY MR. LEE:

2 Q Right in the beginning of the deposition
3 you mentioned what documents you reviewed.

4 A I mentioned I had reviewed one report.

5 Q Could you specify what report that was?

6 A No, I don't recall, but it's on the record.

7 MR. MEISER: If I could clarify for
8 the record, the report, it was the Soil
9 Borings Report.

10 Off the record.

11 (Discussion off the record.)

12 MR. MEISER: On the record. It was
13 the Convers-Ward-Dixon Soil Borings Report.
14 That's the one he's looked at.

15 Q On the basis of your review of that report,
16 do you have any comments to make.

17 THE WITNESS: Off the record.

18 (Discussion off the record.)

19 A Could you be more specific, please?

20 Q On the basis of information provided by
21 Mr. Salzman in his report, were you able to come to
22 any conclusions about the matters within the report
23 where specifically he addressed soil conditions in
24 the Township of Hanover?

25 A I came to no conclusions. I would not wish to

1 come to any conclusions based on what I read in his
2 report alone.

3 Q I take it, therefore, that you have not
4 made any report based on Mr. Halzman's study?

5 A I've made no formal report.

6 Q Whether written nor oral?

7 A I'd like to confer with counsel on that.

8 MR. WEISER: Off the record.

9 (Discussion off the record.)

10 A On the record, the only thing he did was to
11 suggest questions to Weith. He spent a half hour on
12 that, and that was his purpose, to give the attorney
13 assistance on the type of questions to ask. It was
14 not for the purpose of drawing conclusions about the
15 validity of the report.

16 Q Have you made any on-site inspections in
17 the Township of Hanover?

18 A No, I have not.

19 Q Have you examined any of the reports
20 prepared by the experts in this case for the Township
21 of Hanover?

22 A No, I believe that -- I believe not. The other
23 material which I have looked at are general maps of

24
25
26
27
28
29
30
31
32
33
34
35
36
37
38
39
40
41
42
43
44
45
46
47
48
49
50
51
52
53
54
55
56
57
58
59
60
61
62
63
64
65
66
67
68
69
70
71
72
73
74
75
76
77
78
79
80
81
82
83
84
85
86
87
88
89
90
91
92
93
94
95
96
97
98
99
100
101
102
103
104
105
106
107
108
109
110
111
112
113
114
115
116
117
118
119
120
121
122
123
124
125
126
127
128
129
130
131
132
133
134
135
136
137
138
139
140
141
142
143
144
145
146
147
148
149
150
151
152
153
154
155
156
157
158
159
160
161
162
163
164
165
166
167
168
169
170
171
172
173
174
175
176
177
178
179
180
181
182
183
184
185
186
187
188
189
190
191
192
193
194
195
196
197
198
199
200
201
202
203
204
205
206
207
208
209
210
211
212
213
214
215
216
217
218
219
220
221
222
223
224
225
226
227
228
229
230
231
232
233
234
235
236
237
238
239
240
241
242
243
244
245
246
247
248
249
250
251
252
253
254
255
256
257
258
259
260
261
262
263
264
265
266
267
268
269
270
271
272
273
274
275
276
277
278
279
280
281
282
283
284
285
286
287
288
289
290
291
292
293
294
295
296
297
298
299
300
301
302
303
304
305
306
307
308
309
310
311
312
313
314
315
316
317
318
319
320
321
322
323
324
325
326
327
328
329
330
331
332
333
334
335
336
337
338
339
340
341
342
343
344
345
346
347
348
349
350
351
352
353
354
355
356
357
358
359
360
361
362
363
364
365
366
367
368
369
370
371
372
373
374
375
376
377
378
379
380
381
382
383
384
385
386
387
388
389
390
391
392
393
394
395
396
397
398
399
400
401
402
403
404
405
406
407
408
409
410
411
412
413
414
415
416
417
418
419
420
421
422
423
424
425
426
427
428
429
430
431
432
433
434
435
436
437
438
439
440
441
442
443
444
445
446
447
448
449
450
451
452
453
454
455
456
457
458
459
460
461
462
463
464
465
466
467
468
469
470
471
472
473
474
475
476
477
478
479
480
481
482
483
484
485
486
487
488
489
490
491
492
493
494
495
496
497
498
499
500
501
502
503
504
505
506
507
508
509
510
511
512
513
514
515
516
517
518
519
520
521
522
523
524
525
526
527
528
529
530
531
532
533
534
535
536
537
538
539
540
541
542
543
544
545
546
547
548
549
550
551
552
553
554
555
556
557
558
559
560
561
562
563
564
565
566
567
568
569
570
571
572
573
574
575
576
577
578
579
580
581
582
583
584
585
586
587
588
589
590
591
592
593
594
595
596
597
598
599
600
601
602
603
604
605
606
607
608
609
610
611
612
613
614
615
616
617
618
619
620
621
622
623
624
625
626
627
628
629
630
631
632
633
634
635
636
637
638
639
640
641
642
643
644
645
646
647
648
649
650
651
652
653
654
655
656
657
658
659
660
661
662
663
664
665
666
667
668
669
670
671
672
673
674
675
676
677
678
679
680
681
682
683
684
685
686
687
688
689
690
691
692
693
694
695
696
697
698
699
700
701
702
703
704
705
706
707
708
709
710
711
712
713
714
715
716
717
718
719
720
721
722
723
724
725
726
727
728
729
730
731
732
733
734
735
736
737
738
739
740
741
742
743
744
745
746
747
748
749
750
751
752
753
754
755
756
757
758
759
760
761
762
763
764
765
766
767
768
769
770
771
772
773
774
775
776
777
778
779
780
781
782
783
784
785
786
787
788
789
790
791
792
793
794
795
796
797
798
799
800
801
802
803
804
805
806
807
808
809
810
811
812
813
814
815
816
817
818
819
820
821
822
823
824
825
826
827
828
829
830
831
832
833
834
835
836
837
838
839
840
841
842
843
844
845
846
847
848
849
850
851
852
853
854
855
856
857
858
859
860
861
862
863
864
865
866
867
868
869
870
871
872
873
874
875
876
877
878
879
880
881
882
883
884
885
886
887
888
889
890
891
892
893
894
895
896
897
898
899
900
901
902
903
904
905
906
907
908
909
910
911
912
913
914
915
916
917
918
919
920
921
922
923
924
925
926
927
928
929
930
931
932
933
934
935
936
937
938
939
940
941
942
943
944
945
946
947
948
949
950
951
952
953
954
955
956
957
958
959
960
961
962
963
964
965
966
967
968
969
970
971
972
973
974
975
976
977
978
979
980
981
982
983
984
985
986
987
988
989
990
991
992
993
994
995
996
997
998
999
1000

1 Environmental Protection.

2 Q Could you be more specific?

3 A There was a roll of maps that were provided to
4 me by Michael Clark who was at one time involved in
5 this, and I simply looked at the title of each map,
6 saw where the boundaries of Morris County were, and
7 ascertained a very gross overview of the nature of that
8 landscape. There were maps with respect to geology.
9 There were stream and watershed maps. There were
10 land use maps. There were a variety of sewerage
11 treatment district maps, public water maps I believe
12 were there, topographic maps, maps of roadways and
13 so on. That is the basis of my recollection. I
14 spent maybe an hour or a half hour doing that.

15 MR. LEE: I have no further
16 questions than that.

17
18
19
20
21 CROSS-EXAMINATION BY MR. WYSE:

22 Q Mr. Johnson, have you any familiarity
23 with Rockaway Township?

24 A No.

25

1 the topography of Rockaway Township?

2 A Since I could not pick out Rockaway Township on
3 a map, I'm not -- I don't think that I would
4 categorically know. My experience in driving through
5 that area has been limited to a couple trips on
6 Route 202. To my knowledge, I haven't been there.

7 Q When you examined the maps you just
8 referred to from the, I believe, the Department
9 of Environmental Protection, did you take any
10 particular notice of Rockaway Township, or was your
11 review --

12 A No, I was simply looking at the whole of Morris
13 County.

14 Q Did you come to any generalized conclusions
15 regarding Morris County as a result of those maps?

16 A I formed a preliminary method in my mind of what
17 I should expect upon further examination.

18 Q And what was the substance of that
19 method?

20 A Faulted precambrian, relatively steep topography,
21 linear features in the western portion of the county.
22 In the eastern portion of the county, triassic low
23 areas with some general -- some specific inclusions
24 of volcanic rocks. I think it might not be exactly
25 accurate, but there's the possibility of portions

1 of landscape being covered with glacial material,
2 a variety of different types of glacial materials,
3 but in general it's not unlike portions of southern
4 Pennsylvania. They are geologically considered,
5 geologically the same units, and I suspect that the
6 nature of the landscape would be -- I suspect that
7 it would be relatively similar.

8 That was my initial impression. More detailed
9 work will obviously modify and alter the preliminary
10 impressions.

11 Q Now, of course, I'm an attorney and I
12 don't have expertise in soils, but you've named
13 several factors or things you noticed. Does that
14 include an opinion as to what types, what soil types
15 are present in Morris County?

16 A I have a reasonably good comprehension of
17 general soils geography throughout most of the United
18 States, and it's a little bit better in areas that
19 I'm close to, so that I am in general familiar with
20 the types of soils I expect to find there on the basis
21 of their official nomenclature names. They are
22 designated by town names or locality names like
23 Lawrenceville soils or something that's very specific.
24 But in general there will be particular soils in this
25 particular region because they're characteristic of

1 the eastern seaboard and the triassic lowlands of
2 New Jersey and other portions of the Piedmont as
3 well.

4 Q Do you have professional experience
5 dealing with the soil types that you expect to find
6 in Morris County?

7 A I can't answer that question from the soil
8 science point of view because soil types to a soil
9 scientist mean something very specific, and I have
10 probably dealt with some of the soil types and
11 defined by soil scientists. I have dealt with the
12 general kinds of soil, the general categories and
13 classifications to a fairly significant extent in
14 the context of planning in the Piedmonts of
15 Pennsylvania.

16 Q If I may draw an analogy just to see if
17 you would agree with it. I get the impression from
18 your testimony that soil can be classified almost
19 as the way plant and animal life is classified? They
20 are general categories going down to more specific
21 categories?

22 A That's correct.

23 Q And you are familiar with the general
categories of the soils in Morris County, but you
24 can't go too much more specifically than general

1 categories, is that correct?

2 A There are seven levels that we might wish to
3 categorize soils at, seven or eight, and I would say
4 that I'm familiar with these things on the basis of
5 second or third most detailed category. You can go
6 way beyond the very general properties, and I can get
7 down to rather specific properties. I'm not familiar
8 with each soil theories, that is, the official name
9 that exists in the township.

10 Q This familiarity is based on your review
11 of the maps that you previously discussed from DEPT?

12 A No, my familiarity is based on work that I
13 have done with the soils in Pennsylvania which has
14 very, very, similar types of landscapes and soils
15 which would be the same down to the second or third
16 level of specificity.

17 Q And you say that those types of soils are
18 present here from viewing those maps and from your
19 general knowledge?

20 A My general impressions of the eastern coast,
21 the examination of triassic lowlands, faulted
22 metamorphic rocks in the western portion.

23 Q Is there a name in your branch of science
24 down to that level, that second or third level, from
25 the most detailed that you're referring to?

1 A Yes, I would say that I could deal with these
2 soils and could probably list a number of great
3 groups and subgroups that would exist based on my
4 cursory review of the area and my general familiarity
5 with the region. I might even -- if I was very lucky --
6 be able to name some soil theories which I have looked
7 at in other places which could occur in Morris County.

8 Q You mentioned great groups and subgroups.
9 Is that a technical terminology in your profession?

10 A Yes it is.

11 Q We've discussed generally in this
12 deposition what you might have to know in order to
13 establish reasonable densities of land use, residential
14 land use, and certain types of soils.

15 Can you tell me what, of these seven
16 levels, what knowledge would you have to have in order
17 to arrive at the calculations you are referring to?

18 A In other words, at which level could I make
19 reasonable inferences as to the density?

20 Q Yes.

21 A The accuracies of the inference decreases as
22 you move to more and more general categories. At the
23 level which it is appropriate for comprehensive
24 soil classification, the soil series or perhaps even
25 soil phases can be easily and readily identified, and

1 that is more specific than any of the categories to
2 which I have referred.

3 However, as far as beginning to construct a
4 method of what this landscape is like, subgroup level,
5 familiarity with soils will go a long way towards
6 telling one about the soil properties, and then
7 inferences can be made based on that as to sensitivities.

8 I would not as a planner say that we should use
9 subgroup level information. I would say we use the
10 most detailed level of information that we can map
11 at a reasonable expense and can reasonably be
12 interpreted and that is soils series or soils phase
13 information.

14 Q We discussed five different types of
15 alternative effluent treatment systems. Would you
16 say that you could make any specific recommendations
17 with respect to those five types based upon this
18 subgroup level of knowledge about the soils?

19 A No, that requires soils series level information.
20 In my opinion, a recommendation could be made, a
21 general recommendation, but that may prove to be
22 erroneous in a specific case, so I would say that you
23 want the soils series and soils phase information to

24
25 Q I believe you testified that to get that

1 kind of information you have to examine the specific
2 site, is that correct?

3 A No, for general planning purposes the Soil
4 Survey, I believe, is adequate for design of the
5 systems, but site-specific information is required.

6 Now, the site-specific information includes not
7 just the soil property, but the size of the development,
8 the number of units planned, the surrounding land use
9 topography, and so on.

10 Q Do you have any opinion with regard to the
11 suitability of these five types of alternative systems
12 in areas that are predominantly steep slopes?

13 A Their effectiveness is probably modified by
14 steep slopes. I would say that their suitability as
15 a treatment mechanism probably is reduced under
16 conditions of steep slopes, but not necessarily
17 because the slope is steep, but because of other
18 things that are correlative to steep sloping areas,
19 particularly with respect to the Piedmont. I am not
20 in favor of putting these things on very steep slopes.

21 However, it's possibly useful to say that they
22 had been used for treating effluent in ski areas in
23 the wintertime on relatively steep slopes.

24 Q Would you like to ask a question?

25 A Spray irrigation is a case that has been used

1 at Sunapee Mountain or Lake Sunapee, whatever it's
2 called in New Hampshire.

3 Q Could you, for instance, use the marsh-pond
4 method on an area predominated by a steep slope?

5 A I would not choose to, but I wouldn't say that
6 it's impossible, but I wouldn't choose to. It depends --
7 given my definition of steep slopes, greater than
8 15 percent, I would not choose to use that.

9 Q Are there periodic maintenance costs
10 connected with the use of this alternative effluent
11 disposal system?

12 A There are maintenance costs associated with
13 them. I don't know that I would want to characterize
14 them as periodic, but they certainly -- there are
15 maintenance requirements and maintenance costs.

16 Q Well, can you describe for each of these
17 systems what sort of maintenance has to occur? Do
18 they, for example, require periodically that the
19 entire system be replaced or any kind of major
20 renovation of the system over a period of years for
21 each of the different systems you were talking
22 about?

23 A That's very difficult to answer because anything
24 which is managed improperly stands a chance of breaking
25 down. In which case major modifications may be needed.

1 Q Let's limit ourselves to assuming proper
2 maintenance.

3 A If it's operated appropriately and managed
4 appropriately, there will be maintenance costs such
5 as cleaning or replacing perhaps plugs, spray nozzles,
6 if spray irrigation happens to be the type that's
7 used.

8 I believe that if these systems are going to be
9 operated, they should be done in conjunction with
10 vegetation. I like to see treatment systems which
11 produce a vegetative product such as pulp wood, for
12 instance, or in some cases, hay. So that there is
13 a maintenance cost associated with harvesting, but
14 there may also be a return that can be generated by
15 harvesting.

16 So no one will claim that they are free of
17 maintenance costs. However, it's possible that some
18 of the cost of the treatment can be offset by marketing
19 a product.

20 Q Are you aware of any studies that
21 investigate this area of maintenance costs and return
22 on harvesting vegetable products?

23 A Yes, the foremost study probably has been done
24 in the area of the ... from the
25 entire city has been used to grow corn, and the quality

1 of the corn has been tested, and the corn has been
2 marketed, and they know how many dollars went in and
3 how much dollars came back, and so on.

4 So that kind of information does exist, and
5 I'm familiar with the fact that it does exist.

6 Q And which type are they using?

7 A I believe it's the spray irrigation in
8 Muskegon, but I don't know absolutely for sure.
9 It's an irrigation procedure, and I suspect it's
10 spray.

11 Q In marsh-pond method, after a period of
12 years, is there any requirements for dredging either
13 the marsh or the pond?

14 A As these are experimental, I would say that
15 the general indications, that the general rule of
16 thumb, the general management procedures, are not
17 probably completely understood. But, in general,
18 it's wise to plant these things so that the marsh
19 can be taken out and replanted. The way that this
20 is designed -- in general, the way I would design
21 them is with a series of connected trenches that you
22 could walk between rows of marsh vegetation. They
23 generally require gravel and sand in the bottom as
24 a rooting medium so that it's relatively easy to
25 harvest or cut areas.

1 But experimental data can be gathered on this
2 and fairly definitive statements made about that.
3 I cannot make them in the absence of something more
4 significant in front of me.

5 Q Is it fair to say that no one really knows
6 what the long term maintenance cost of some of these
7 systems are?

8 A I don't know if anybody knows or not. There
9 are systems which have been in operation in other
10 countries for very long periods of time, for
11 60, 70, or 80 years, so that information could
12 be gained on the costs of those systems. Whether
13 or not that's directly applicable, I don't know.
14 I'm not really a cost person, but I would say that
15 we could give fair judgment as to the field in
16 general. There is information that would give fair
17 judgment as to the long term operating costs of these
18 things. They are not so experimental and so new.

19 I want to clarify this if I may. Did you mean
20 the marsh-pond or did you mean all the alternative
21 systems?

22 Q All the alternative systems.

23 A That's what my answer was with respect to, that
24 these have existed for long periods of time in other
places, and presumably information could be obtained.

1 Q Now, I was referring to all the systems.
2 Are you saying that examples of each of these systems
3 has been in existence in other countries for a long
4 period of time?

5 A Certainly. The irrigation system has been in
6 existence for some period of time.

7 Q That's the five types of irrigation
8 systems that you discussed?

9 A Yes.

10 Q Is it fair to say that the maintenance
11 costs of these alternative systems would be higher
12 than the cost of operating a septic tank system on
13 an individual lot?

14 A I don't know the answer to that. I don't feel
15 qualified as an expert on costs.

16 Q You don't know? That's fine.

17 Do you know if there are any studies which
18 would show the incidence of malfunctioning or breakdown
19 in any of these systems we've discussed?

20 A Are there any studies? Certainly these systems
21 have failed for a variety of reasons in a variety of
22 places. I believe that it's possible that someone
23 could generate a catalog of failures and why they

24 I believe that there are people out there who
25 none of them have anything to do with municipal water.

1 or an effluent development.

2 One was a milk processing factory that did not
3 seem to be working very well. I'm familiar with
4 production outset using land treatments, which I
5 wasn't satisfied with the performance of the site
6 tests, but I believe the information probably could
7 be found on that. Although that is generally less
8 well documented than systems that do work. People
9 like to bury failures rather than to expose them.

10 Q Do you know offhand what particular type
11 of system these examples you were just referring to
12 used?

13 A Spray was in one case, flood irrigation in
14 another case. I want to point out though, if I
15 may, that is not a function of the land. It's simply
16 a function of poor management. My feeling has been,
17 and I can say this with conviction, that it's very
18 possible to find a site suitable for land treatments,
19 that the weakest link right now is in the management
20 aspect of it.

21 Q Do you have any opinion why management
22 has been a problem using these techniques?

23 A I suspect that they may have been improperly
24 designed in order to conserve the amount of land
25 that's necessary. Perhaps shortcuts. In some cases,

1 it's a question of rotating schedules, when to rotate
2 the fields. You don't spray every field all the time.
3 You don't set off all the nozzles at all times.
4 A person who is trained to do this properly shouldn't
5 have any trouble in deciding that you turn these off
6 today and these on today. But in cases where there
7 are mixups or misunderstandings, that may account for
8 substantial failures. Some of the failures probably
9 were sort of trial-and-error experiments, for instance,
10 harvesting. It's difficult to harvest on wet soil
11 in general. This has been circumvented by the use of
12 very low pressure tires, oversized tires, and so on,
13 but we had to learn that by experience.

14 So that unknowns contributed perhaps to failures
15 in the past. Poor management due to misunderstanding
16 or shortcuts may still contribute to malfunctions.

17 Q Taking the five systems, alternative
18 systems you discussed and the conventional on-site
19 septic system, do either you have an opinion or have
20 you seen any opinions of others which would rank them
21 in terms of long term reliability, long term
22 effectiveness?

23 A No, I don't think -- well, I can only answer
24 this question in the context of what I think you're
25 thinking about, and that is the disposal of effluent

1 from developed areas and residential effluent, be it
2 low density or high density.

3 Q Yes.

4 A Not in terms like municipal sewerage treatment
5 plants with ten million gallons whereby you couldn't
6 use septic tanks or something like that. So that in
7 the context of development and developed areas, I
8 don't feel I would want to make a judgment on long
9 term reliability based on simply a statement of these
10 five things. Different soil conditions, different
11 climatic conditions, different geologic conditions
12 in different areas will determine which is best.
13 There are some which may not be appropriate for
14 New Jersey at all because of climatic conditions. It
15 may be that appropriate soils don't exist.

16 So that to simply rank them on the basis of
17 general operating parameters, I could not do that.

18 Q Can you rank them based upon the level
19 of knowledge that you now have of the soil conditions
20 in Morris County --

21 A No.

22 Q -- or the topography of Morris County?

23 A No. I would say that we would have to have the
24 level of information consistent with those interpretations
25 soil theories, soil phase information.

1 Q Is it fair to say that you're saying
2 that you need more site-specific information in
3 order to determine what the best system would be?

4 A I need to look at the characteristics of soil
5 theories and soil phases. That's not necessarily
6 site-specific information. I could read in a book
7 about this soil series has these properties and
8 could make, I think, a very educated inference
9 based on available information as to whether or not
10 they are suitable for any of those systems,
11 particularly the land-based ones, not necessarily
12 the marsh-pond ones, but that follows from written
13 information, topographic information.

14 Q Would it be possible for you to say
15 just on a very average basis the average type of
16 soil, the type of soil in Morris County, whether
17 any one or more of these systems would be better
18 than the others?

19 A I don't think the concept of an average soil
20 applies, so I can't answer that.

21 MR. WYSE: I have no more questions.

22 Thank you.

23 (Witness excused.)

24 (Deposition concluded.)

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

MORRIS COUNTY FAIR HOUSING COUNCIL,)
)
 Plaintiff,)
)
 vs.)
)
 TOWNSHIP OF BOONTON, et als.,)
)
 Defendants.)
 -----)

CERTIFICATE

I, JACQUELINE WASKO, a Certified Shorthand Reporter and Notary Public of the State of New Jersey, do hereby certify the foregoing to be a true and accurate transcript of the deposition of ARTHUR JOHNSON, who was first duly sworn by me at the place and on the date hereinbefore set forth.

I further certify that I am neither attorney nor counsel for, nor related to or employed by, any of the parties to the action in which this deposition was taken, and further that I am not a relative or employee of any attorney or counsel employed in this case, nor am I financially interested in this action.

 Jacqueline Wasko
Certified Shorthand Reporter